

1 is meant by your term depressant?

2 A. As I understand it, a depressant will slow down
3 your physical and mental reactions.

4 Q. Anything else?

5 A. Not that I can think of right now.

6 Q. What is it, if you know, most normally prescribed
7 for?

8 A. Alprazolam?

9 Q. Yes.

10 A. Alprazolam is usually prescribed as a
11 tranquilizer.

12 Q. And what is the purpose of a tranquilizer, if you
13 know?

14 A. To calm a person in general.

15 Q. You don't know whether John Brown had a
16 prescription for Xanax or alprazolam, do you?

17 A. No, sir, I do not know the defendant in this case.

18 MR. CUMMINGS: No further questions.

19 MR. RUSHER: Please come down. Could he be
20 excused, Your Honor?

21 THE COURT: Any objection?

22 MR. CUMMINGS: None, Your Honor.

23 THE COURT: Allowed. You may step down.

24 (Witness left stand.)

25 MR. RUSHER: State would call Paul Glover.

1 PAUL GLOVER, being first duly sworn, testified as

2 follows during DIRECT EXAMINATION By MR. RUSHER:

3 Q. State your name, sir, and your occupation.

4 A. My name is Paul Glover. I'm a research scientist
5 and training specialist for the forensic tests for the
6 alcohol branch which is part of the Department of Health
7 and Human Services, State of North Carolina.

8 Q. Sir, testimony was given by the prior witness that
9 the Department of Health and Human Services sets forth
10 regulations for the way the S.B.I. lab analyzes blood.
11 Did you hear that testimony?

12 A. Yes, I did.

13 Q. Are you familiar with those regulations?

14 A. Yes, I am.

15 Q. What role do you play in that regard?

16 A. They submit the -- the S.B.I. agents will submit
17 the protocol that they intend to use to me. I review
18 the protocol, review their procedures in the lab, and
19 either recommend that they be issued a permit based on
20 that or not.

21 Q. Sir, what educational experience do you have?

22 A. I have a B.S. in biology that I got from Florida
23 State University in 1974 and a Master's in biology from
24 Florida State University, 1978.

25 Q. Would you tell us please the subject matter of

1 your specialty? You say forensic tests for alcohol.

2 Describe that please.

3 A. I serve as the -- I supervise fourteen field staff
4 who are responsible for training the police officers who
5 are certified to do breath tests on the Intoxilyzer
6 5000. They also are responsible for doing preventive
7 maintenance on those instruments. I do in-service
8 training with those individuals on breath test issues.
9 I also do in-service training with them on blood testing
10 issues that relates to blood testing for alcohol and for
11 drugs.

12 I review the scientific literature on issues that
13 come up that relate to the testing of blood and breath
14 for alcohol, and blood for drugs, and urine for drugs.
15 I've got a lab in Raleigh. If an issue comes up that
16 has not been dealt with in the scientific literature,
17 then I will conduct research, if I'm able to in my lab,
18 and try to resolve whatever the issue might be.

19 Q. Sir, what positions have you held since the
20 completion of your formal education?

21 A. I was a research scientist at Oak Ridge National
22 Laboratory in Oak Ridge, Tennessee, for seven years;
23 research scientist at the National Institute of
24 Environment Health Science in Research Triangle Park for
25 five years, and a research scientist at Burroughs

1 Wellcome Pharmaceutical for seven years.

2 Q. What is the time -- your current length of time
3 that you've been on your current job?

4 A. I've been in this position for five and a half
5 years.

6 Q. Sir, what publications have you written in this
7 field and what are their titles?

8 A. I did a study on the effects of impairing
9 substance on the Intoxilyzer 5000 which I presented at a
10 meeting in Stockholm, Sweden in the year 2000. I also
11 did a study on the effects of heat on the blood samples
12 that contained alcohol. In that study we took -- we
13 dosed individuals with alcohol and then drew blood from
14 them, took those tubes and left them in the patrol car
15 for varied lengths of time, and we measured the
16 temperature that the tubes were exposed to over a thirty
17 day period, measuring the temperature every five
18 minutes, and measured the alcohol concentration over a
19 period of time just to see what effects it would have on
20 it. I presented that at annual meeting of an
21 organization of which I'm a member. (Witness coughing.)

22 Q. Are you having trouble?

23 A. Yes, I am.

24 MR. CUMMINGS: Your Honor, we would, with all
25 due respect to Mr. Glover, accept him as an expert in

1 the area of alcohol analysis or that's probably not the
2 proper term.

3 MR. RUSHER: Well, Your Honor, I really
4 appreciate it but it won't take but a few minutes.

5 THE COURT: All right, sir, I'll let you
6 proceed.

7 (Witness coughing.)

8 MR. RUSHER: He's in distress.

9 Q. Do you want to keep going or do you want to take a
10 break?

11 A. We can keep going.

12 THE COURT: All right.

13 Q. Did you conclude your answer?

14 A. Excuse me.

15 Q. Had you concluded your answer about the
16 publications --

17 A. Those were two of the primary ones I did. I've
18 also done some studies where we dose individuals with
19 alcohol in controlled drinking situations and then
20 looked at the dosing and the alcohol level that the
21 individual went to, based on their gender and their size
22 and all of them having been given the same amount of
23 alcohol. Those are the primary studies I've done.

24 Q. Sir, do you belong to any professional societies?

25 A. Yes, I'm a member of the International Association

1 for Chemical Testing and the International Council on
2 Alcohol, Drugs, and Traffic Safety.

3 Q. Sir, have you previously testified as an expert in
4 courts in North Carolina or elsewhere?

5 A. Yes, in North Carolina about ninety-five times.

6 Q. Sir, do you consider yourself an expert in blood
7 alcohol physiology?

8 A. Yes.

9 Q. What is blood alcohol physiology?

10 A. Blood alcohol physiology is where you're looking
11 at alcohol, how it gets in the human body, how it's
12 distributed in the human body, how it affects the human
13 body -- actually that goes into the pharmacology of
14 alcohol -- and in the way that the body eliminates the
15 alcohol.

16 Q. Are you an expert, sir, in the field of
17 pharmacology?

18 A. In limited areas.

19 Q. All right. Please tell us about that.

20 A. Well, in the pharmacology of alcohol --

21 Q. What is pharmacology of alcohol?

22 A. Again, that goes to what alcohol does to
23 individuals at different doses primarily.

24 Q. Now, Mr. Glover, could you tell the jury please if
25 there has been a period of immoderate drinking how the

1 body reacts to that?

2 A. A period of what?

3 Q. Immoderate drinking of alcohol.

4 A. Well, the body will eliminate the alcohol at the
5 rate that it's able to do it. If a person has been a
6 chronic abuser, their body will adapt to a certain
7 extent and it will start -- it will be able to eliminate
8 alcohol at a faster rate; but it takes quite a bit of
9 time to get to that point.

10 Q. Now, are you knowledgeable of studies about rates
11 of elimination of alcohol?

12 A. Yes, I am.

13 Q. And have you participated yourself in studies of
14 that type?

15 A. Yes, I have.

16 Q. Could you tell us about that please?

17 A. The way to determine the rate that alcohol is
18 eliminated is by taking a sample from an individual at
19 one point in time, waiting an hour or more, taking
20 another sample, in this case of blood, and measuring the
21 concentration of alcohol in the two samples, determining
22 how much it has changed from one sample to the other
23 over the period of time. There are a number of studies
24 have been published where they looked at about 2500
25 individuals who were charged with DWI where they took

1 blood samples from one to two hours apart and measured
2 the rate that they were eliminating alcohol. In that
3 study, they looked at the gender and the age of the
4 individuals to see if they could tell any differences
5 within or between genders or with age differences.

6 Q. Sir, are you familiar with the legal standards
7 adopted by the North Carolina General Statutes in terms
8 of what constitutes impaired for driving while impaired
9 purposes?

10 A. Yes.

11 Q. What is that standard?

12 A. .08 grams of alcohol per 100 milliliters of blood
13 or .08 grams per 210 liters of breath.

14 Q. All right, sir. Are you -- were you here
15 throughout the day?

16 A. Yes, sir.

17 Q. Have you heard testimony in the court that at Frye
18 Regional Medical Center an alcohol concentration was
19 determined based on the milligrams per deciliters?

20 A. Yes, sir.

21 Q. All right, how do those two standards compare with
22 each other?

23 A. The -- we report it by statute or in the
24 definitions in the statute, it's in grams. The hospital
25 is reporting it in a thousandth of a gram or milligrams.

1 So the difference in mass, you would just move the
2 decimal and the statute definition is 100 milliliters of
3 whole blood. Hospital uses a deciliter and deciliter is
4 the same as a hundred milliliters.

5 Q. All right, sir. Is there a mathematical formula
6 that you're aware of that would allow you to convert one
7 to the other?

8 A. Yes, sir.

9 Q. From milligrams per deciliter to grams per
10 milliliter?

11 A. Yes, sir.

12 Q. All right, sir. Did you hear testimony that the
13 blood tests were based on a serum rather than whole
14 blood at Frye Regional Medical Center?

15 A. Yes, I did.

16 Q. Are you familiar with that, sir?

17 A. Yes, sir.

18 Q. How does serum compare with whole blood?

19 A. Well, when you are looking at alcohol, alcohol
20 tends to go to the water containing tissues. It has a
21 high affinity for water. When you look at the amount of
22 water in a volume of whole blood and a volume of plasma
23 or serum, the concentration of water is greater in
24 plasma or serum than whole blood; therefore, the
25 concentration of alcohol is greater in plasma or serum

1 than whole blood. So what we have to do is reduce the
2 alcohol concentration in serum.

3 Q. How do you do that, sir?

4 A. Well again, there were -- studies were done where
5 they took samples and they measured the alcohol
6 concentration in an individual in whole blood that
7 converted the sampling to serum and determined the
8 concentration. They compared the two and came up with a
9 ratio.

10 Q. And what, sir, is that ratio?

11 A. Well, the ratio that was determined in the
12 literature is one to 1.15. When we do our conversions
13 in North Carolina, we add a standard deviation to that
14 so we use a conversion of one to 1.18.

15 Q. Why do you do that, Mr. Glover?

16 A. It's considered to be -- the bigger the number is,
17 the more it's going to reduce the alcohol concentration.
18 So it's considered to be a conservative way of doing the
19 conversion.

20 Q. Now, is this conversion ratio generally accepted
21 in the scientific community?

22 A. Yes, it is.

23 Q. Now, sir, are you familiar with the metabolite
24 called benzoylecgonine?

25 A. Yes, I am.

1 Q. What is benzoylecgonine?

2 A. That's one of the metabolites of cocaine.

3 Q. Are there other metabolites of cocaine?

4 A. There are other metabolites but they constitute a
5 smaller -- much smaller portion.

6 Q. Sir, the presence of benzoylecgonine in the blood,
7 anyone's blood, could that be there by any means other
8 than to have ingested cocaine or as the other chemist
9 said the benzoylecgonine itself?

10 A. I know of no way that you would get
11 benzoylecgonine other than ingesting.

12 Q. Are there practical uses for the chemical known as
13 benzoylecgonine?

14 A. I'm not aware of any.

15 Q. It exists primarily as a metabolite of one who has
16 used cocaine?

17 A. Yes.

18 Q. Sir, are you aware of studies relative to the use
19 of those -- of those who use cocaine and the
20 appreciation or the use of alprazolam or other
21 benzodiazepine family?

22 A. Yes, I am.

23 Q. Tell us about those studies.

24 A. What the studies have found out is that with
25 cocaine use there is an initial euphoria when cocaine is

1 used and, within about forty-five minutes to an hour,
2 that euphoria is gone and there's more of what's
3 referred to as a cocaine crash or dysphoria where people
4 just don't feel well. It's just not fun. And there has
5 been an observed trend amongst cocaine users where they
6 will either pre-medicate themselves with benzodiazepines
7 or Valium type drugs, do that ahead of time or sometimes
8 afterward, in order to reduce the bad feeling after the
9 cocaine.

10 Q. Now, are there other chemicals in the
11 benzodiazepine family other than Valium which you
12 mentioned?

13 A. There are about -- worldwide about fifty different
14 benzodiazepines. There are somewhere between ten and
15 fifteen that are prescribed in the United States.

16 Q. Is alprazolam one, sir?

17 A. Yes, it is.

18 Q. So based on the study of which you have knowledge,
19 will you find that unusual that there be both the
20 presence of alprazolam and benzoylecgonine?

21 A. I would not find that unusual, no.

22 Q. Sir, are you aware of the combined effects of
23 alcohol and alprazolam?

24 A. Yes, sir.

25 Q. Would you describe that please?

1 A. Again, they are both central nervous system
2 depressants that tend to slow reactions. They impair
3 cognitive skills but they are actually synergistic and
4 that means that the two in combination are greater than
5 they are individually. So instead of two plus two equal
6 four, two plus two equals five or six or seven. The
7 effect is greater with the combination of the two.

8 Q. Sir, in furtherance of your testimony, have you
9 prepared a chart that might be helpful in explaining to
10 the jury how -- what effects there are from these drugs
11 in combination?

12 A. Yes, I have.

13 Q. Where is that?

14 A. I've got it up here.

15 Q. Sir, I've marked your exhibit State's Exhibit 24.
16 This is a chart prepared by you, sir?

17 A. Yes, sir.

18 Q. And in explaining your testimony to the jury,
19 would it be helpful to you to make use of this?

20 A. Yes, it would.

21 MR. RUSHER: Your Honor, we would OFFER
22 State's Exhibit 24 into evidence.

23 THE COURT: Any objection?

24 MR. CUMMINGS: No, Your Honor.

25 THE COURT: Let it be RECEIVED in evidence.

1 Q. Sir, would you stand down in front of the jury
2 and, making use of State's Exhibit 24, tell the jury
3 what the effects of those substances are?

4 (Witness left stand.)

5 MR. CUMMINGS: Your Honor, may I re-position
6 myself?

7 THE COURT: Yes, sir, that would be fine.

8 A. What I have across the top are the two different
9 drugs in question. These are effects that may be
10 present with certain of the drugs. The first line C-N-S
11 depressant. Both alcohol and benzodiazepine are C-N-S
12 depressants. Cocaine is not.

13 Q. Sir, what is meant by C-N-S depressant?

14 A. Central nervous system depressant, something that
15 causes the central nervous system to -- it's -- to be
16 depressed, not as in feeling bad, but its responses are
17 depressed.

18 Q. Sir, what I'd like to ask you to do is, instead of
19 using your abbreviation, tell us please what the
20 abbreviation stands for.

21 A. Okay. C-N-S or central nervous system stimulant,
22 cocaine is a central nervous system stimulant. Just as
23 a stimulant, I guess you could say, sort of like
24 caffeine. It gets people stimulated. Is there a
25 synergistic effect with it? You don't always get a

1 synergistic effect. Sometimes you'll have alcohol and
2 some drugs where there's no effects; some where there's
3 additive; but in this case we have a synergistic effect
4 that's available between alcohol and a benzodiazepine.
5 Again, that means that two plus two adds to something
6 greater than four.

7 Ataxia means a difficulty in walking, just
8 difficulty in locomotion. If it's stumbling, balance
9 problems, those are potential effects of alcohol and
10 benzodiazepines.

11 Cognitive impairment we see again with alcohol and
12 benzodiazepine. That simply means the ability of
13 somebody to be able to think. They just can't process
14 as they need to. When you're doing a task, you get a
15 stimulus, a visual stimulus. There's a portion of your
16 brain that will recognize what's going on. Another
17 portion of the brain is then going to formulate a plan
18 how to deal with what's happening and then it
19 communicates to another portion of the brain to take the
20 action. So one thing sees it, passes that information
21 to the processing area, passes that on to the one to
22 carry the activity out. Whenever those communications
23 between the different areas gets slowed down, you get
24 impairment. You're just not thinking as well.

25 Confusion, that would go with, again, cognitive

1 impairment. And we have that with alcohol and
2 benzodiazepines.

3 Depression, alcohol will give you some euphoria
4 and that's a little bit further down here; but
5 ultimately, when the concentration gets high enough, it
6 is a depressant. You can get drowsiness from both
7 alcohol and benzodiazepines.

8 Dysphoria, with cocaine you get dysphoria and
9 euphoria. Dysphoria means you don't feel good; euphoria
10 means you feel great and that's one of its
11 characteristics. You do the cocaine; initially you feel
12 so good, and then you don't feel so good on the downhill
13 side.

14 You can get hallucinations with cocaine use.
15 Impair -- alcohol and benzodiazepines will impair
16 divided attention tasks, such as driving. Many, many of
17 the things we do when we're driving are divided
18 attention tasks. You will get in-coordination with
19 alcohol. You get increased reaction time and that
20 means, if you put your hand on a hot burner, it takes
21 more time for that information to go up and more time
22 for you to react and take that hand off.

23 Memory impairment will occur with alcohol. With
24 cocaine, you can see paranoia. You get reduced
25 vigilance with alcohol and benzodiazepines. You have

1 increased self confidence for a period of time with
2 cocaine. And finally, you can get visual disturbances
3 with alcohol.

4 (Witness returned to stand.)

5 Q. Mr. Glover, are you familiar with the life of
6 cocaine in the bloodstream?

7 A. Well, the half life of the parent compound is
8 around -- I'm going to say forty-five minutes to an
9 hour. That means you lose half of it in each hour.
10 Half that remains is lost in each succeeding hour.

11 Q. Mr. Glover, in this case the jury has heard
12 evidence -- assuming the jury shall find beyond
13 reasonable doubt that the defendant was the driver of a
14 truck -- pickup truck at 7:57 A.M. and that blood was
15 drawn from his body at 2:00 o'clock P. M. on the same
16 day, and that the chemical analyst, A. M. Joncich,
17 determined the presence of alprazolam, four
18 one-hundredths of one gram of alcohol per 100
19 milliliters of whole blood, and the metabolite of
20 cocaine, benzoylecgonine, do you have an opinion as to
21 whether or not at 7:57 A.M. there could have existed in
22 his blood cocaine in its active form?

23 MR. CUMMINGS: OBJECTION.

24 THE COURT: Counsel approach please.

25 (Mr. Rusher and Mr. Cummings approached bench.)

1 THE COURT: All right, the objection is
2 OVERRULED. You may answer the question.

3 A. It could have been possible for cocaine to have
4 been present.

5 Q. Now, sir, if it were present, in your opinion,
6 then at the time of 8:57 A.M. the defendant would have
7 been experiencing the effects of alcohol, cocaine, and
8 alprazolam, is that correct?

9 A. Yes.

10 Q. In its metabolite form, benzoylecgonine, does
11 cocaine remain an active substance?

12 MR. CUMMINGS: OBJECTION.

13 THE COURT: OVERRULED. You may answer that
14 question.

15 A. I'm not sure of your question.

16 Q. Mr. Gaither just told me that in the last question
17 I asked you I used the time 8:57 and, if I did, that was
18 inadvertent. I meant to say 7:57 A.M. Did you
19 understand that at the time I asked the question?

20 A. Well, I was thinking 7:57.

21 Q. So the answer you gave was correct and the
22 question I asked was wrong.

23 A. Yes, sir.

24 Q. The current question on the floor I have
25 forgotten; but I think it was to the effect is

1 benzoyllecgonine an active -- in its form, is that active
2 or inactive?

3 A. It may have some limited effects but it's -- seems
4 that I've read that; but it's so -- it's not really
5 significant.

6 Q. Now, sir, have you made calculations in this case?

7 A. Yes, I have.

8 Q. What calculations have you made?

9 A. I have converted the hospital reported alcohol
10 concentration to a value that would be consistent with
11 the units that we have in our statute. One set of
12 calculations.

13 Q. All right, sir. The hospital blood -- you're
14 aware that that was drawn at 9:15 A.M.?

15 A. Yes, sir.

16 Q. Is that the time you used, sir? Is that the time
17 that you used in your analysis?

18 A. Well, in doing the conversion, I didn't need to
19 have the time; but that is the time I used for the next
20 set of calculations.

21 Q. In the calculations that you have -- let me ask
22 you what are the other calculations you have done?

23 A. I calculated the rate of elimination for
24 Mr. Brown, that is, the rate that his body was
25 eliminating alcohol.

1 Q. Now, did you do that based on a mathematical
2 formula or you actually -- actually have data that
3 allows you to know his rate of elimination?

4 A. Well, I have the data and then I applied simple
5 math to that.

6 Q. What data did you use?

7 A. I used the reported alcohol concentration that the
8 S.B.I. determined and the alcohol concentration that the
9 hospital determined.

10 Q. And did you -- what time did you establish or did
11 you make use of?

12 A. I used the time 9:15 for the collection of the
13 blood at the hospital and 2:00 o'clock for the S.B.I.
14 blood collection.

15 Q. What other calculations have you made?

16 A. After doing those, I was able to calculate the
17 concentration of alcohol that would have been in his
18 system at the time of the crash, 7:57.

19 Q. All right, sir. Now, will you tell us please the
20 results of your calculations?

21 A. Yes, but I --

22 Q. Do you have a diagram for that purpose?

23 A. I have a couple of them. The --

24 Q. Could I see it please?

25 A. Sure.

1 Q. In giving your testimony, sir, to the jury, would
2 it be helpful to you to make use of this?

3 A. Yes, it would.

4 Q. And do you have other diagrams as well?

5 A. Yes, I do.

6 Q. Could I -- I'd like to mark them all if I may.

7 A. Yeah.

8 Q. Sir, tell me -- tell the jurors please what
9 State's Exhibit 25 is.

10 A. That's a poster that I can show how the hospital
11 plasma alcohol concentration is converted into a whole
12 blood value.

13 Q. Now, that poster is incomplete. There are blanks.

14 A. There are blanks I filled in, yes.

15 Q. What is State's Exhibit 26?

16 A. That shows -- again, it's fill-in-the-blank to
17 show how to do the retrograde extrapolation which is a
18 term we use, I think, to where we are going to go back
19 in time. Retrograde means going back, doing an
20 extrapolation. So we're going to go back in time to the
21 time of the crash and determine the alcohol
22 concentration.

23 Q. All right, sir, as to State's Exhibit 27, tell us
24 what it is.

25 A. That's a graph that has the time and alcohol

1 concentration and it's another visual way of showing the
2 rate of elimination.

3 Q. Now, all three of these have blanks to be filled
4 in.

5 A. Yes, sir.

6 Q. Did you prepare each of these?

7 A. Yes, I did.

8 Q. Would it be helpful in explaining your testimony
9 to the jury to make use of Exhibits 25, 26 and 27?

10 A. Yes, it would.

11 MR. RUSHER: Your Honor, we would OFFER them
12 in evidence.

13 THE COURT: Any objection?

14 MR. CUMMINGS: No, Your Honor.

15 THE COURT: Let the exhibits be RECEIVED in
16 evidence.

17 Q. Sir, would you stand down again in front of the
18 jurors?

19 (Witness left stand.)

20 Q. Make use in State's Exhibit 25, 26 and 27 and
21 please explain to the jurors the results of the
22 calculations you have made.

23 A. To convert hospital plasma to whole blood value,
24 we have to basically do some simple math. First of all,
25 the reported concentration was 177 milligrams per

1 deciliter. To convert that, we divide that
2 concentration by 1.18 which is a ratio of whole blood to
3 serum that's been established in the literature. That
4 would give us our whole blood alcohol concentration.

5 So if we take 177 milligrams and divide that by
6 1.18, it would give us 150 milligrams per deciliter. So
7 we're still not in the units for our statute. 150
8 milligrams is equal to .15 grams of alcohol per hundred
9 milliliters. So we reduce the amount by dividing by
10 this number and we simply move the decimal three places
11 so it would be .150 in the terms as we use it in the
12 statute.

13 Q. Now, Mr. Glover, are you saying that the reading
14 reported by Frye Regional Medical Center expressed in
15 the language of the North Carolina General Statutes to
16 be .15?

17 A. Correct.

18 Q. That would be at what time, sir?

19 A. That blood was drawn at 9:15 in the morning. And
20 that's the other -- another point. In order to do the
21 retrograde, we have to look at the time that the blood
22 was drawn. We know that the blood was drawn at 9:15.
23 The crash was at 7:57. That gives us one hour and
24 eighteen minutes. The -- the concentration or the rate
25 that Mr. Brown was eliminating alcohol was determined by

1 using the hospital value and the S.B.I. value; and so
2 the rate that he's eliminating it is 0.023. 0.023 grams
3 per hundred per hour so that means the alcohol
4 concentration is going down at this rate.

5 To find out how much alcohol has been lost during
6 this time, you simply multiply this value, one point --
7 or one hour and eighteen minutes, which is 1.3 hours,
8 times this rate of elimination, and it will give you the
9 amount of alcohol eliminated. When you do the math,
10 what it will give you is that 0.029 grams of alcohol
11 were eliminated per hundredth of blood between the time
12 of the crash and the time of the blood draw.

13 We have the converted value right here of 0.150.
14 That was from the hospital blood. The extrapolated
15 value, that's what's lost between the crash and the
16 blood draw, is 0.029 and when we add those together and
17 then truncate them, gives us a value of 0.17.

18 Q. What is 0.17 then?

19 A. This would be the calculated concentration of
20 alcohol in Mr. Brown at the time of the crash.

21 Q. And again, the state law makes what figure
22 significant?

23 A. I'm sorry, I couldn't hear you.

24 Q. The current law makes .08 significant?

25 A. Correct.

1 Q. How does that figure relate to a .08?

2 A. That's more than twice. Finally, this time line
3 on the bottom, alcohol concentration on the side. I put
4 the concentration that was reported by the S.B.I. at
5 this point. This is the converted value from the
6 hospital at this point and, if you draw a line -- which
7 I'll do -- it shows the -- this line describes the rate
8 of elimination in this particular individual since we
9 have multiple points that we can calculate it and,
10 again, it shows that the concentration would have been
11 at .17.

12 Q. At the time of the crash?

13 A. Yes. This vertical line here represents the time
14 of the crash.

15 MR. RUSHER: Your Honor, we would OFFER
16 State's Exhibits 25, 26 and 27 as Mr. Glover has made
17 amendments to them.

18 THE COURT: Any objection?

19 MR. CUMMINGS: No, Your Honor.

20 THE COURT: Let it be RECEIVED. Members of
21 the jury, at this time I'm going to let you take your
22 afternoon break. Follow each of the instructions that
23 I've given you at previous breaks and take a fifteen
24 minute break.

25 (Jury absent.)

1 (A recess was taken.)

2 (Witness returned to stand.)

3 (Defendant present.)

4 (Jury present.)

5 THE COURT: Let me ask counsel to approach the
6 bench for just a moment please.

7 (Mr. Rusher and Mr. Cummings approached bench.)

8 THE COURT: All right, you may proceed.

9 MR. RUSHER: I'm through with Mr. Glover.

10 THE COURT: Cross Examination.

11 MR. CUMMINGS: Thank you, Your Honor.

12 CROSS EXAMINATION By MR. CUMMINGS:

13 Q. Mr. Glover, you indicated earlier in your
14 testimony that you were familiar with a study or studies
15 showing the effects of weight and other matters on the
16 loss -- and I don't know if that's the proper term -- of
17 alcohol in the body.

18 A. The rate of elimination.

19 Q. Thank you. Rate of elimination. Other than
20 weight of the individual, what other factors would
21 affect that rate of elimination, sir?

22 A. Well, the weight does not affect the rate of
23 elimination. Gender will affect it.

24 Q. Okay.

25 A. Females tend to eliminate about ten percent faster

1 than males and chronic abusers can have accelerated
2 rates of elimination.

3 Q. Would the amount of food one has eaten affect the
4 rate of elimination?

5 A. Not -- not in that situation. There are times
6 when it does to individuals with -- with -- they've
7 given them some breakfast, dosed them with -- actually
8 have alcohol to measure the rate of elimination; but
9 they're looking at very low concentrations of alcohol
10 and they're looking at a narrow ledge of time.

11 Q. That would be when they received alcohol
12 intravenously?

13 A. That's to see if actually metabolizing foods
14 causes them to metabolize alcohol any faster. They do
15 see a little increase but it's in a narrow range when
16 they're metabolizing foods.

17 Q. Would it be fair to say that if that alcohol were
18 ingested after a meal was ingested, then the absorption
19 rate into the blood stream if the same amount of alcohol
20 were ingested by that individual not having any
21 previously?

22 A. If somebody -- food in the stomach can influence
23 the rate of absorption, okay; but it's limited in its
24 effect. What you typically get will be a change in the
25 route of absorption.

1 When somebody drinks, what they drink goes into
2 their stomach. There's a valve in the bottom of the
3 stomach called the pyloric sphincter. It opens up to
4 admit the contents of the stomach into the small
5 intestine. When that happened, if there's alcohol
6 there, goes through the walls of the small intestine
7 about the first twelve inches of it. It goes almost
8 straight through. And that's how the majority of the
9 alcohol is absorbed in the body. It's then distributed
10 in the blood.

11 If there's food in the stomach and you slow down
12 the admission of stomach contents into the small
13 intestine so you've got alcohol hanging around in the
14 stomach longer, then you have more absorption that
15 occurs through the walls of the stomach so it then goes
16 through the walls and then into the blood. So it
17 changes the route that the alcohol takes and it will
18 change the peak or the shape of the peak. If you were
19 given a single dose of alcohol and then plotting it, it
20 changes the route to a certain extent.

21 Q. Does it change the rate of absorption into the
22 bloodstream?

23 A. Excuse me.

24 Q. Does it change the rate of absorption into the
25 bloodstream?

1 A. It changes it somewhat; but again, you're looking
2 at studies have been done with low doses of alcohol.
3 And you're looking at studies where they call it a bolus
4 dose and that's where you take an alcohol-free person,
5 you take their weight and gender, you calculate what
6 alcohol concentration when you get them to. You mix one
7 large drink and usually it's with fruit juice. They
8 drink the whole thing down about five minutes time
9 because they're trying to get all of it in instantly
10 and, when they do, then they can have a -- basically a
11 single dose of alcohol that they can monitor the
12 concentration as it goes up and down. It's so they see
13 effect then.

14 Q. Okay. This rate of elimination that we talked
15 about, how is this alcohol eliminated?

16 A. There's an enzyme --

17 Q. I guess another way to ask that question. I don't
18 mean to ask yet another question; but where does it go?

19 A. There's an enzyme in the liver called alcohol
20 dehydrogenase. It breaks down the alcohol into
21 acetaldehyde. That step happens very quickly because
22 acetaldehyde is very toxic. This enzyme breaks down
23 about ninety-five percent of the alcohol that you
24 ingest. The other five percent you lose through breath,
25 sweat, and urine.

1 Q. When you indicated that you had plotted
2 Mr. Brown's rate of elimination of alcohol in his
3 system, that was based upon two figures that you were
4 given, one being what you calculated his blood alcohol
5 level to be at the hospital at something after
6 9:00 o'clock in the morning of the 9th of October (sic)
7 and then the subsequent S.B.I. lab report done months
8 later that was from blood taken some five hours later at
9 2:00 o'clock P. M., correct?

10 A. Correct.

11 Q. Now, those are two different tests measured
12 entirely differently, were they not?

13 A. Yes, they were.

14 Q. And measured at different times, correct?

15 A. Yes.

16 Q. And using entirely different process, correct?

17 A. That's correct.

18 Q. By entirely different individuals, correct?

19 A. Correct.

20 Q. And some -- I don't know if estimate is the proper
21 word; but there were certain standards used,
22 particularly in the one performed at 9:15 or thereabouts
23 in the morning, to get that figure of fifteen -- let me
24 see where we are -- to get that .15 of blood alcohol
25 level at 9:15 in the morning, is that correct?

1 A. Correct.

2 Q. All right. You can't testify that that is -- that
3 was John Brown's absolute, unquestionable blood alcohol
4 reading at 9:15 in the morning, can you?

5 A. You're referring to the value when I did the
6 conversion?

7 Q. Yes, sir. That's just yes or no and then please
8 explain if you feel you need to.

9 A. No, because I had to do a conversion and -- but it
10 is a very conservative conversion and by that, if I used
11 the other numbers, it would have made it slightly
12 higher; but it -- based on all literature and studies
13 that I've seen, it's very reliable.

14 Q. And if you use a lower number, it would have been
15 lower?

16 A. Correct.

17 Q. In indicating that the presence of -- and I won't
18 pronounce it right I'm satisfied -- but the -- strike
19 that. You were present, as you previously testified,
20 when the S.B.I. Agent indicated that he did not quantify
21 the amount of the element found in cocaine.

22 A. Correct.

23 Q. Okay. So when you said -- and I think I quoted
24 you correctly -- that that particular trace element
25 could have been -- it could have been possible for

1 cocaine to be present?

2 A. It's possible, based on the half life of cocaine,
3 for cocaine to have been present at the time of the
4 crash and not be present at the time that that blood
5 sample was collected.

6 Q. But it's not your testimony, is it, that cocaine
7 was present at the time of the crash?

8 A. No, it is not my testimony.

9 Q. Thank you. And I believe you also said or what I
10 gleaned from several of your cumulative, I guess,
11 answers were that you couldn't say that any controlled
12 substance was in his blood at the time of the accident,
13 correct?

14 A. Are you asking if I said that?

15 Q. I am asking if you said that.

16 A. I don't believe I said that.

17 Q. All right. Are you -- is it your testimony that
18 you can not say that there was controlled substance in
19 his blood at the time of the accident?

20 A. Based on everything that I've heard and
21 understood, there were no medications given at the
22 hospital and the alprazolam is a controlled substance
23 and that would have to have been in his system at the
24 time of the crash since it wasn't ingested post-crash.

25 Q. Then going further, can you say that any

1 controlled substance that may have been in his blood at
2 the time of the crash had any effect on his behavior?
3 Yes or no, and then please explain it.

4 A. No, I can't say that it absolutely had effect
5 because I don't know the absolute concentration. I do
6 know that the effects of alprazolam can extend well
7 beyond a day after taking it.

8 Q. But you have no idea in that it wasn't quantified
9 and you don't know when, if ever, it was taken, correct?

10 A. Correct.

11 MR. CUMMINGS: All right. I don't have any
12 other questions, Your Honor.

13 REDIRECT EXAMINATION By MR. RUSHER:

14 Q. Sir, in your questioning by Mr. Cummings, he asked
15 you about the actual rate of elimination of alcohol
16 based on the two times that alcohol -- that blood was
17 collected.

18 A. Yes, sir.

19 Q. Is there, apart from the actual rate of
20 elimination as you've determined it to be in Mr. Brown's
21 bloodstream, an accepted rate of elimination for adult
22 males that is recognized in the scientific community?

23 A. Yes, there is a rate that has been published.
24 Again, they did it by looking at about 2500 individuals
25 and calculating their rate and the average value was

1 .018.

2 Q. Now, what do you mean that the average value was
3 .018?

4 A. Well, that some of them had a rate that was higher
5 than point -- that's the amount that their alcohol
6 concentration would go down per hour.

7 Q. Now, in Mr. Brown's case, you know that blood was
8 drawn at two times so you can establish his rate of
9 elimination?

10 A. Correct.

11 Q. Apart from that, there is a nationally,
12 scientifically accepted rate of elimination when those
13 two points of blood draw are not available, is that what
14 you're saying?

15 A. Well, there's -- there's a scientifically accepted
16 rate that's published.

17 Q. How does Mr. Brown's actual rate of alcohol
18 elimination compare to the scientific community accepted
19 rate of elimination?

20 A. ~~It's greater; so he eliminates alcohol faster than~~
21 ~~typically.~~

22 Q. Now, in terms of what your ultimate calculation
23 would be, the fact that he eliminates alcohol faster
24 than those according to the national standard, would
25 that lower or increase your estimate of the alcohol in

1 his blood at the time of the driving?

2 MR. CUMMINGS: OBJECTION.

3 THE COURT: OVERRULED. You may answer.

4 A. If I use the other value, is that what you're
5 asking, which way would it change it?

6 Q. No, what I'm trying to ask: You say that
7 Mr. Brown's actual rate of elimination is lower than the
8 accepted rate of elimination in the scientific
9 community, is that correct?

10 A. No, his rate of elimination is greater or faster.

11 Q. All right. Then my question is, in terms of your
12 ultimate conclusion, the fact that he has a greater or
13 faster rate of elimination than that rate of elimination
14 which is nationally accepted in the scientific
15 community, would that raise or lower your estimate of
16 his actual alcohol concentration at the time of driving?

17 A. It would lower it slightly.

18 Q. Now, sir, you talked to Mr. Cummings about the
19 rate of absorption. Could you tell the jurors what the
20 rate of absorption is, sir, as contrasted with the rate
21 of elimination?

22 A. There really isn't a rate of absorption. The --
23 it's all dependent upon how much someone is drinking,
24 how much the volume and the concentration of the
25 alcohol. That's what determines how quickly someone

1 goes up. I don't know that there have been any studies
2 to really calculate a rate of absorption.

3 Q. Sir, is alcohol a food?

4 A. Well, I don't -- it may actually be classified
5 that by FDA. I'm not --

6 Q. Well, is it digested by the stomach or is it
7 absorbed directly into the bloodstream?

8 A. It's absorbed directly into the blood and broken
9 down in the liver.

10 Q. Sir, do you have the ability to calculate the
11 quantity of alcoholic beverage that Mr. Brown must have
12 consumed in order so that his bloodstream would have
13 absorbed such quantity of alcohol as -- for seventeen
14 one hundredths of one gram of alcohol per 100
15 milliliters of whole blood?

16 MR. CUMMINGS: OBJECTION.

17 THE COURT: OVERRULED. You may answer.

18 A. ~~We do have a formula that we can use to calculate~~
19 ~~the amount of alcohol in an individual at a given~~
20 ~~alcohol concentration.~~

21 Q. All right, sir. What would that amount of alcohol
22 be?

23 MR. CUMMINGS: OBJECTION, Your Honor, as to
24 when.

25 THE COURT: SUSTAINED. If you can just

1 clarify that.

2 Q. At the time your calculation shows that Mr. Brown
3 had a seventeen one hundredths grams of alcohol per 100
4 milliliters of whole blood at 7:57 A.M., then do you
5 have an opinion as to what quantity of alcohol was in
6 his blood at that time?

7 MR. CUMMINGS: OBJECTION.

8 THE COURT: OVERRULED. You may answer.

9 A. I can calculate it. I would have to have his
10 weight.

11 Q. His weight at the time is stated in his medical
12 records. Sir, would you examine State's Exhibit 23 and
13 notice on the emergency record, weight, 210 pounds.
14 Now, would you use that figure which was stated by his
15 medical records to be his weight at the time?

16 A. I can -- I can give an approximate number of what
17 I'll say beer equivalents.

18 Q. All right, sir.

19 MR. CUMMINGS: I'm going to OBJECT to an
20 approximate number.

21 THE COURT: OVERRULED.

22 A. Male at 210 pounds would be around -- ~~would have~~
23 ~~fully absorbed in their system the amount of alcohol~~
24 ~~that you would get from fifteen to eighteen beers.~~

25 MR. RUSHER: Those are the questions that I

1 have.

2 THE COURT: Mr. Cummings.

3 RE CROSS EXAMINATION By MR. CUMMINGS:

4 Q. Are you familiar with the term scientific method?

5 A. Yes, sir.

6 Q. What does that mean?

7 A. Scientific method means that you -- you have
8 certain procedures, certain things that you follow in
9 your seeking out answers, I guess would be the easiest
10 way.

11 Q. Would it be fair to say that there are a certain
12 number of controls in place for an experiment to follow
13 the scientific method?

14 A. Yes, there are.

15 Q. Things like time of day -- depending upon the
16 research that's being conducted?

17 A. Certainly.

18 Q. Time of day, temperature of the room, weight of
19 the individual being tested, just any number of things,
20 depending upon the tests that are being conducted, is
21 that correct?

22 A. Certainly.

23 Q. And any time you wanted to -- and why do we use
24 the scientific method? Why is the scientific method
25 used?

1 A. Because you want to be able to let someone else go
2 back and repeat the same thing, see if what you came up
3 with works the same way.

4 Q. And if the scientific method is employed and
5 employed correctly, if the same experiment is conducted
6 sometime later by different individuals using the same
7 procedures and protocol, they should get the same
8 result, should they not?

9 A. You would expect them to, yes.

10 Q. And in the instance where you're using or
11 employing the scientific method in determining the rate
12 of elimination of alcohol in a particular individual's
13 system, you would measure it at one point in time, would
14 you not?

15 A. You would.

16 Q. And then you would measure it sometime later,
17 would you not?

18 A. You would.

19 Q. You would have him in the same environment for
20 both measurements, would you not?

21 A. Yes.

22 Q. You would have him -- the same quantity of blood
23 drawn both times?

24 A. Uh huh.

25 Q. You would have it tested by the same individuals

1 or using the same machine both times?

2 A. Yes.

3 Q. And the purpose of that is to ensure accuracy, is
4 it not?

5 A. Correct.

6 Q. This method that you used right here does not
7 employ the scientific method, does it? Yes or no, and
8 then please explain your answer.

9 A. No, because we don't have the -- we're not using
10 the same method to analyze the blood each time. It's
11 better than having a single sample to work with and
12 doing an extrapolation; but if we had two samples that
13 were drawn by the same person, that were analyzed the
14 same place, it would probably give you a more reliable
15 result. You would prefer -- if you were going to do a
16 study, you would prefer to do it that way.

17 Q. In the scientific community, a study using this
18 type of procedure would not be tolerated, would it? A
19 study wouldn't be tolerated?

20 A. Well, there are studies that include things like
21 that where you're looking at data that's collected
22 because it's there. It occurred. You collect that
23 data. You didn't design it to be that way but that's
24 the way it's presented to you.

25 Q. I understand; but I'm talking about in a study

1 that you set out to conduct --

2 A. No.

3 Q. ~~-- this would not be acceptable, would it?~~

4 A. ~~No.~~

5 Q. And the reason is, is because it's not accurate as
6 employing the scientific method would make it, correct?

7 A. It's -- correct, it's not accurate; but when you
8 look at the science that's around it, when you look at
9 the literature, it is not inaccurate. When you look at
10 the results --

11 Q. It's not as accurate but it's not inaccurate?

12 A. It's -- in other words, if you look at what has
13 been published and compare it to that, it is not out in
14 left field.

15 Q. So it's not too far wrong?

16 A. No, I'm saying that it's -- I'm not saying that
17 it's wrong. I'm saying that it's not out in left field.

18 Q. Let me ask you this, Mr. Glover: You say that the
19 average rate of elimination, based on the studies, I
20 believe -- correct me if I'm wrong -- that was studying
21 2500 people?

22 A. Correct.

23 Q. Was .018?

24 A. In males.

25 Q. In males? Okay. However, in Mr. Brown you

1 calculated to be .23, is that correct?

2 A. That's correct. And the .018 was an average value
3 that was derived by taking all the values they got and
4 there was a range and there were people who went up, I
5 believe, as high as a .029. Possibly up into the .03.

6 Q. So what you're saying is that, based on your
7 calculations, Mr. Brown's rate of elimination is --
8 let's see, there being .005 difference, is some five
9 eightieths different -- and I haven't done the math to
10 see exactly what that would be, but --

11 A. About twenty percent.

12 Q. ~~Twenty percent, thirty percent?~~

13 A. ~~Faster.~~

14 Q. ~~Than the average?~~

15 A. ~~Correct.~~

16 Q. Now, there is a possible explanation for that
17 other than that you've testified to and that would be
18 because some of your calculations are incorrect, isn't
19 that right?

20 A. Well, my calculations aren't incorrect.

21 Q. And I stand corrected. You're right. Your math
22 is right.

23 A. You're saying that the values there might not be
24 correct?

25 Q. Yes. Is that right?

1 A. There is always that potential. However, I've not
2 seen anything to indicate that they would be.

3 Q. It's not proven beyond a reasonable doubt, is it?

4 MR. CUMMINGS: No further questions.

5 THE COURT: Any other questions?

6 MR. RUSHER: We'd like to have him answer the
7 question that counsel asked him.

8 THE COURT: I'm going to disallow that. All
9 right, any other questions?

10 MR. RUSHER: No, Your Honor.

11 THE COURT: All right. You may step down,
12 (Witness left stand.)

13 MR. RUSHER: Your Honor, sometimes I
14 miscalculate. To be honest, I've scheduled witnesses
15 for tomorrow morning thinking that we would not move as
16 far as we have.

17 THE COURT: All right.

18 MR. RUSHER: I do have an offer that we could
19 make today that is 404(b) evidence. I don't know if
20 Your Honor would like to consider that or not.

21 THE COURT: All right, members of the jury, at
22 this time I'm going to allow you to take your evening
23 break. Do not discuss the case among yourselves or with
24 anyone else. Do not discuss anything with the
25 defendant, witnesses or attorneys. If anyone attempts