

1 MR. SANDERS: No.

2 THE COURT: You may step down. Any
3 further need of this witness?

4 MR. TRIVETTE: No, Your Honor. We
5 would like to let him go home if we could.

6 MR. SANDERS: He can go back to Raleigh
7 as far as I'm concerned, Your Honor. I don't
8 have any further need of him.

9 THE COURT: Wait just a second, Mr.
10 Stark. Let me see counsel at the bench just
11 a moment.

12 (Whereupon an off-the-record discussion
13 was held.)

14 THE COURT: You are released, Mr.
15 Stark. Call your next witness, please.

16 MR. TRIVETTE: State would call Paul
17 Glover.

18

19 -----000-----

20

21 PAUL L. GLOVER, called as a witness on behalf of
22 the State, having been first duly sworn, was examined
23 and testified as follows:

24

25

DIRECT EXAMINATION

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

BY MR. TRIVETTE:

Q. State your name, please, sir.

A. My name is Paul Glover.

Q. And Mr. Glover, where do you live, sir?

A. In Durham.

Q. And how are you employed?

A. I am a research scientist in the Forensic Tests for Alcohol Branch, which is part of the Department of Health and Human Services.

Q. And could you describe briefly-- what is your title?

A. I'm a research scientist.

Q. And describe briefly, what is the area that you are involved in?

A. I am involved in evaluating the breath test program, the blood test program for the State D.W.I. program. I evaluate breath test equipment. I evaluate the analysts in the state that are certified for testing blood for drugs and alcohol and I evaluate scientific literature to keep up with what is happening in the world of science as it relates to blood and breath testing.

Q. Is one of the areas that you stay on top of or continue to do research or continue to educate

1 yourself in the area of blood alcohol physiology and
2 pharmacology?

3 A. Yes, it is.

4 Q. Could you tell us what academic degrees
5 you hold and from where and when you received them?

6 A. I've got a B.S. in biology from Florida
7 State University I got in 1974, and an M.S. in Biology
8 I got at Florida State in 1978.

9 Q. Could you tell us what, if any, type of
10 degrees or training you have received over the years
11 in this particular area?

12 A. I am certified as a chemical analyst for
13 the state for breath testing. Also certified to do
14 preventative maintenance of breath testing
15 instruments. I have attended the school in Indiana
16 for highway safety supervisors in D.W.I. programs.

17 Q. And could you tell us what-- after you
18 received the formal education, what positions you have
19 held since you received the Master's Degree?

20 A. I was a research scientist at Oak Ridge
21 National Laboratory in Tennessee for seven years,
22 research scientist at the National Institute of
23 Environmental Health Sciences for five years and a
24 research scientist for Bursewell Pharmaceutical
25 Company for seven years.

1 Q. And then approximately when is it that you
2 began to work for the Forensic Tests for Alcohol
3 Division of the state of North Carolina?

4 A. I started about two and a half years ago.

5 Q. All right. And is part of your duty in
6 your current position to maintain a laboratory there
7 in Raleigh?

8 A. Yes, it is.

9 Q. What do you do there?

10 A. I have it set up so that I can evaluate
11 breath test equipment or other issues that come up as
12 they relate to different cases.

13 Q. All right. And have you presented or
14 published some in this field that we have been
15 discussing?

16 A. I have presented some of my work at the--
17 a conference, the International Association for
18 Chemical Testing. I'm a member of that group. I'm
19 also a member of the International Council on Drugs,
20 Alcohol and Traffic Safety, will be presenting some
21 more work there in May.

22 Q. Let me ask you if you are a member of any
23 professional societies?

24 A. Just the two I just listed.

25 Q. All right. And have you ever testified in

1 court as an expert in the field of blood alcohol
2 physiology and pharmacology?

3 A. Testified in court in North Carolina,
4 qualified as an expert about 20 times in the past two
5 years.

6 MR. TRIVETTE: Your Honor, I would tender
7 Mr. Glover as an expert in the field of
8 alcohol breath testing, blood alcohol
9 physiology and pharmacology.

10 MR. SANDERS: No objection.

11 THE COURT: Allowed.

12

13 BY MR. TRIVETTE:

14 Q. Mr. Glover, I would like to ask you a few
15 questions about how ethanol affects and is processed
16 in the body. Well, first of all, what is ethanol?

17 A. Ethanol is alcohol that we find in
18 beverages that are consumed.

19 Q. Now, are there other kinds of alcohol?

20 A. Oh, yeah, there are lots of other kinds of
21 alcohols. This is the one, like I say, that people
22 drink in beverages.

23 Q. All right. And when a person drinks,
24 takes a drink of alcohol or ethanol, what begins to
25 happen in their body to the ethanol?

1 A. Well once it's swallowed, it goes into the
2 stomach. Once it's in the stomach there is some
3 absorption through the stomach walls. There is a
4 valve in the stomach called a pyloric sphincter that
5 lets the contents of the stomach go into the small
6 intestine. It opens on a fairly regular basis, you
7 can say about every 15 minutes. But that opens up,
8 allows the contents to go through. When alcohol gets
9 into the first 12 inches of the small intestine, it's
10 almost immediately absorbed through the small
11 intestine and picked up by the blood.

12 Q. And where is it in the body that the bulk
13 of the absorption occurs?

14 A. Well, it's a combination of the stomach
15 and the small intestine. If the stomach is relatively
16 empty, the majority of it is absorbed through the
17 small intestine. If there is some additional contents
18 in the stomach then you will have more absorption
19 through the stomach.

20 Q. And of course where is the blood being
21 absorbed into?

22 A. Well, the alcohol is going into the
23 circulating blood.

24 Q. Okay. Goes into the blood?

25 A. Yes.

1 Q. If a person-- you indicated something
2 about food in the stomach. On an empty stomach, does
3 a person absorb faster than a person who has food in
4 their stomach?

5 A. They tend to absorb faster when the
6 stomach is empty.

7 Q. All right. Now, is there a difference in
8 men and women of how alcohol is absorbed by the body?

9 A. Well, alcohol is attracted to water.
10 Males tend to have a higher percentage of water than
11 females. It's absorbed the same way but since there
12 is less water in females, it takes a smaller amount of
13 alcohol to get a female to the same concentration that
14 it would take to get a male of equal weight.

15 Q. And that difference is because--

16 A. Just because of the amount of water.

17 Q. And females have less water in their body
18 than males, generally speaking?

19 A. Yes.

20 Q. Now, let me move from absorption to
21 elimination. How is alcohol eliminated or what are
22 the different ways that alcohol is eliminated from the
23 body?

24 A. You can lose some through the breath, some
25 through sweat and urine but about 95 percent of it has

1 to be metabolized.

2 Q. And what do you mean by that?

3 A. It's metabolized in the liver. There is
4 an enzyme called alcohol dehydrogenate in the liver.
5 As the blood goes through the liver it brings the
6 alcohol in there. If there is enzymes available to
7 break alcohol down then it will bind, breaks the
8 alcohol down.

9 Q. And is there-- based upon your training
10 and experience, is there some-- is the rate of
11 metabolism of alcohol a consistent or fixed rate?

12 A. There is-- it varies again according to if
13 you are male or female, and there can be some
14 individual variations. But there is a fairly
15 predictable rate and over, say, a given period of time
16 you will look at a fairly constant rate for a given
17 individual. You can't slow it down, you can't speed
18 it up. Once the alcohol has been consumed and
19 absorbed and distributed through the body you are
20 dependant upon your blood to bring that alcohol to the
21 liver for breaking it down. Going to sleep, drinking
22 coffee, none of those things will do anything to break
23 that alcohol down any faster.

24 Q. You say there is a difference between men
25 and women?

1 A. Yes, there is.

2 Q. And what is the difference there?

3 A. Women tend to metabolize alcohol faster
4 than men and one of the reasons that they think is
5 that livers between men and women are about the same
6 size and since the fluid volume of the female tends to
7 be smaller for the water volume, it is thought that
8 having a smaller volume of water or fluid that is
9 circulating through the liver over the same length of
10 time and so it's broken down faster, it's just
11 circulated through the liver faster in females.

12 Q. Okay. Now, Mr. Glover, are you familiar
13 with a process where, when we are given a known
14 alcohol concentration in a person's blood or in
15 breath, but in this case in a known-- in a blood
16 sample, that it's possible to calculate what the
17 concentration would have been in that person's blood
18 at an earlier point in time?

19 A. That is called retrograde extrapolation.

20 Q. If you would-- all right. Go ahead. What
21 does that mean?

22 A. That means basically you take the
23 concentration at a known time and as you go back in
24 time for every hour you add a fixed amount of alcohol
25 back to the concentration you determined.

1 Q. All right. And what is it that you need
2 to know in order to make this calculation?

3 A. Well, there are published studies where
4 these rates of elimination are available and you
5 simply take the concentration at your in-point and you
6 can-- you can pick a range of elimination rates and
7 apply those to the concentration you had at the
8 in point.

9 Q. And in this range is there some number
10 that's in the middle of this range that is recognized
11 in your field as--

12 A. There is a rate that we use in North
13 Carolina that is considered to be a conservative and
14 accepted rate in North Carolina.

15 Q. What is that rate that is used in North
16 Carolina?

17 A. It's .0165 grams per hundred mills per
18 hour.

19 Q. And at some point did I-- were you here
20 for the testimony yesterday?

21 A. Yes, I was.

22 Q. And were you here when people testified as
23 to-- testified as to the time that she-- that Ms.
24 Marvin was at the surf shop?

25 A. I wasn't here for that testimony.

1 Q. Have I provided you with some information
2 about when-- about testimony that was received or
3 would be received of when it was believed Ms. Marvin
4 stopped drinking prior to the collision at the
5 intersection?

6 A. Was your question have you provided that?

7 Q. Yes?

8 A. Yes.

9 Q. And what was that information?

10 A. I believe that the consumption stopped
11 about 2 o'clock, the crash was about 2:50. I think
12 the first consumption started at noon.

13 Q. All right. And why is that time of when
14 the final consumption, why is that important?

15 A. Well, when you consume it takes time
16 because that pyloric valve is going to open and emit
17 contents, you have to wait. You drink it, it is not
18 immediately available to be absorbed by your blood.
19 It takes some time. And so the fact that the last
20 drink was about 2 o'clock, allows you to predict about
21 the time that the peak alcohol concentration occurred.

22 Q. All right. And did you do some
23 calculation in an effort to determine when you believe
24 the peak alcohol concentration occurred in this case
25 concerning Ms. Marvin?

1 A. Yes, I did.

2 Q. Do you have some diagram with you?

3 A. Yes, I do.

4 Q. Mr. Glover, let me hand you what's been
5 marked--

6 THE COURT: Let me see it.

7

8 BY MR. TRIVETTE:

9 Q. Hand you what's been marked State's
10 Exhibit 16, if you would, Mr. Glover, and ask you if
11 you can identify it?

12 A. It's a BAC time curve that I constructed.

13 Q. And what did you-- you constructed it
14 based on what?

15 A. I used the time that the alcohol
16 consumption started, the time that it stopped, the
17 number of drinks as I understood them that were
18 consumed, the time of the crash and the time that the
19 blood was drawn and then I used an elimination rate
20 to-- or the retrograde extrapolation.

21 Q. In order to draw your curve?

22 A. Yes.

23 Q. Just so I'm clear, if you would, need to
24 be clear about what information you relied on in
25 forming that chart. Were you here for the testimony

1 of-- about the number of drinks consumed allegedly?

2 A. No, I wasn't.

3 Q. What did you use for that?

4 A. Two Margaritas and between twelve and one
5 and three shots of 100 proof schnapps between one and
6 two.

7 Q. And what was-- what information as to the
8 time of the crash did you rely on?

9 A. I had a copy of the wreck report, I
10 believe. I indicated 2:50 is the time. It may have
11 been 2:51.

12 Q. And what was the-- what information did
13 you rely on as far as when the blood was drawn from
14 the defendant?

15 A. Used 7:25, which I had understood that was
16 the time.

17 Q. And what information did you rely on, I
18 think I have covered this, about when the last drinks
19 were consumed in this particular case?

20 A. Information provided by you.

21 Q. And what time was that?

22 A. Would have been around 2 o'clock, I
23 believe.

24 Q. If I ask you some questions, Mr. Glover,
25 based upon the calculations that you did and the chart

1 there that you have-- the chart there that you have
2 made, would that-- would that chart-- the calculations
3 that you made about your retrograde extrapolation,
4 would that chart assist you in illustrating your
5 testimony to the injury?

6 A. I think it would.

7 MR. TRIVETTE: I'd move to admit it for
8 illustrative purposes.

9 MR. SANDERS: Objection.

10 THE COURT: Voir dire?

11 MR. SANDERS: Yes, sir.

12 THE COURT: Ladies and gentlemen, there
13 is a matter to which I must attend out of
14 your presence. I'm going to ask that you
15 step into the jury room and I will call for
16 you momentarily. Do not discuss the case
17 during this period of time.

18 (The jury panel was excluded from the
19 courtroom.)

20 THE COURT: We are now outside the
21 presence of the injury. Basis of the
22 objection?

23 MR. SANDERS: Your Honor, the basis of
24 the objection is that the State has not laid
25 a proper foundation for this to come into

1 evidence as a scientifically reliable formula
2 for extrapolating blood alcohol. There is no
3 evidence-- I mean, he has given some formula
4 that he says that he uses but there is no
5 evidence that this is an accepted formula,
6 this is something that is typically done.
7 Also, I am in possession and I would like to
8 ask him some questions about some information
9 I have that I believe he based this formula
10 or this chart on certain assumptions which
11 may or may not be correct.

12 THE COURT: Do you want to cross him as
13 to--

14 MR. SANDERS: Yes, sir.

15 THE COURT: As to his assumption?

16 MR. SANDERS: Yes, sir.

17 THE COURT: That would be the basis of
18 his opinion?

19 MR. SANDERS: Yes, sir. But I would
20 also say the State is yet to lay a proper
21 foundation for the admission of this evidence
22 and, of course, once that chart is shown to
23 the jury, you know, cat is out of the bag at
24 that point.

25 THE COURT: Any offer of proof-- not

1 ruling at this juncture but is there any
2 offer of proof?

3 MR. TRIVETTE: I will be glad to ask
4 him some more questions about the formula
5 that he uses.

6 THE COURT: Proceed.

7
8 BY MR. TRIVETTE: (Voir dire)

9 Q. Mr. Glover, just explain when you are
10 doing retrograde extrapolation, Mr. Glover, you start
11 with a known quantity of alcohol at a known time, is
12 that correct?

13 A. Correct.

14 Q. And then if I ask you, as I have in this
15 case, to calculate for me an extrapolation back in
16 time to a particular point, and in this case, of
17 course, I have asked you to calculate back four
18 and a half hours?

19 A. Correct.

20 Q. And in doing that calculation, what is--
21 what is the formula or the co-efficient, if you will,
22 whatever you call the .0165, what is that number based
23 on?

24 A. Well, there are a number of studies that
25 have been done where they have taken individuals, they

1 take a blood sample, they measure the alcohol
2 concentration, they wait a period of time, typically
3 an hour, they take another sample, they compare the
4 concentrations and they calculate the difference
5 between the two and that is the elimination rate.

6 Q. All right. And this-- these types of
7 studies for-- for how long has this type of
8 information been commonly accepted throughout the
9 field that you are in?

10 A. Well, it was initially brought up, I am
11 going to say in the-- in 1935, in that range, and the
12 formula has been applied since that time. There are a
13 lot more recent studies that have been done involving
14 thousands of samples that have been published in
15 journals that substantiate some of the early work.

16 Q. All right. And is this number-- you said
17 there is a range but in North Carolina it's-- the
18 number that you use or that is recognized is .0165?
19 In the range that is recognized, is this number in the
20 middle, is this a conservative number, is this a
21 liberal number, what is it?

22 A. It's fairly conservative. There was an
23 earlier case in North Carolina where this particular
24 rate was accepted by the Court and that is one of the
25 reasons that we use it.

1 Q. Is it also a number that-- in addition to
2 this case that is recognized, it is also accepted as a
3 conservative number in the field, in the literature?

4 A. Yes. In the studies I have looked at,
5 about seven percent of the samples that they have
6 looked at had rates that were slower than that, about
7 13 percent were right in that range and the rest of
8 them were greater rates than that.

9 Q. Greater rates?

10 A. Yes.

11 Q. So this is in the bottom 20 percent as far
12 as being a conservative rate, recognized rate in the
13 literature?

14 A. Yes.

15 Q. And is this number that is-- in this
16 formula, this retrograde extrapolation formula
17 accepted in this field throughout the country and
18 throughout the world?

19 A. Yes, it is.

20 Q. And some of the materials and the
21 literature that you have researched have been
22 international materials?

23 A. They have. Some of the instructors that
24 I had classes under and that I consult with on a
25 regular basis are the authors of the publications and

1 they are world recognized authorities in it.

2 MR. TRIVETTE: All right. That will be
3 all I have.

4 THE COURT: Cross-examination?

5

6 BY MR. SANDERS: (Voir dire)

7 Q. As I understand it, you base your chart
8 there on certain assumptions, one of them is that the
9 defendant, Ms. Marvin, stopped drinking approximately
10 50 minutes prior to the collision?

11 A. Yes, as far as the peak is concerned.

12 Q. There was approximately four and a half
13 hours from the time of the accident and the drawing of
14 the blood?

15 A. Correct.

16 Q. A conservative elimination rate is 0.0165
17 BAC per hour?

18 A. Correct.

19 Q. And the defendant was fully absorbed at
20 the time of the collision and was unable to consume
21 any additional alcohol after the collision?

22 A. Correct.

23 Q. Is that correct?

24 A. Yes.

25 Q. Then your assumption on the fully

1 absorbed, what you mean is that, if I understood your
2 testimony, is that all the alcohol has gone through
3 now either the stomach wall or the small intestine and
4 into the blood stream?

5 A. By that time, yes. That is based on the
6 fact that my understanding is that there was no food
7 consumed during the drinking. The majority of the
8 drinking was-- if you look at the three hour window,
9 from noon until the time of the crash, the majority of
10 the drinking occurred in the first half of that with
11 the last drink coming some place between 1:30 and
12 2:00, and that was small volume, high concentration
13 alcohol drink.

14 Q. And how many ounces of alcohol did you
15 figure into that?

16 A. Well, to calculate the ascending slope, I
17 used-- the ascending slope does not influence the
18 descending slope. The descending slope is calculated
19 based on the blood results. It's the ascending slope
20 that can be influenced by the amount of alcohol that
21 she consumed but the descending is not dependant upon
22 when she drank but-- it's that she consumed nothing
23 after 2 o'clock. Regardless of when it was consumed,
24 it was in there and the rate of elimination is going
25 to be constant from that point on.

1 Q. Right. But the ascending slope on your
2 chart there is dependant upon your calculation of the
3 amount of alcohol consumed?

4 A. Its slope is tied back to the peak that I
5 get when I do my retrograde. The angle of it is
6 anchored in this case with noon because that was the
7 time that, as I understood, the drinking started. If
8 it started sooner than that, it wouldn't influence the
9 descending.

10 Q. If the drinking started sooner than that?

11 A. Right.

12 Q. But--

13 A. It's when it stopped and when the blood
14 draw was that have more importance than when the
15 alcohol is consumed.

16 Q. And does everyone metabolize alcohol the
17 same way?

18 A. They metabolize it the same way. They
19 don't metabolize at the same rate.

20 Q. At the same rate? And can you study a
21 person's body in order to determine how they
22 metabolize alcohol?

23 A. No. You could take an individual and
24 calculate an elimination rate for them today. If you
25 did it tomorrow you might get the same, you might get

1 something slightly different. You will get the same--
2 there is a range. There will be variations according
3 to what is going on with them at that time. Chronic
4 abusers eliminate at a much greater rate. People at
5 higher BAC's eliminate at a much greater rate.
6 Females eliminate at a greater rate. So all of those
7 things come into play.

8 Q. How about absorption?

9 A. Absorption is influenced--

10 Q. Is there any validity to there being some
11 studies about differences in the absorption rates
12 between men and women?

13 A. Right off the top of my head I don't
14 recall any about the difference in absorption rate.
15 Those again have variations. A lot of studies are
16 done with-- where you fast, take one single dose of
17 alcohol and then they start following the alcohol
18 concentration. Again, there is some variation in
19 there. Here, we are looking at a three-hour period.
20 Most absorption is going to occur, generally speaking,
21 half an hour to an hour and a half. Again, food in
22 the stomach can slow it down some but in this case you
23 have got three hours for absorption to occur and
24 really almost an hour and a half after the last drink
25 or an hour after the last drink, and that one is not

1 going to be as important with respect to the peak
2 because you have elimination going on at the same
3 time. As soon as the consumption starts, the
4 elimination starts.

5 Q. Alcohol is constantly-- is it safe to say
6 in the body it's typically-- it's going up or it's
7 going down?

8 A. Well, it's doing both. Like a bathtub
9 that you are pouring water in and the drain is open.
10 Pour it in too fast, the tub is going to get full
11 because it can only drain at a certain rate. Pour it
12 in slower, it is going to empty. Pouring it in,
13 pouring it out.

14 Q. Rarely stays at a constant in the body?
15 It's usually going down faster than it's going up or
16 vice versa?

17 A. Well, you know, there again, they have
18 studies where they wanted to-- I don't recall what
19 they were looking at but they measure the
20 concentration, they have people hooked up to an IV and
21 if you administer it at a certain rate-- if you
22 administer it at the rate it's being metabolized, then
23 it will level off and stay at the same level the whole
24 time but they are eliminating and they are absorbing
25 at the same time.

1 Q. And the amount-- the information you have
2 provided, you have been provided concerning the amount
3 of alcohol consumed which you base it on two
4 Margaritas and three shots of 100-proof liquor?

5 A. I understood that to be what was consumed,
6 yes. But again, it influences the shape of the
7 ascending, not the ultimate peak. In this case, the
8 ultimate peak is being determined by the elimination
9 rate when you are going back.

10 MR. SANDERS: I don't have any other
11 questions.

12 THE COURT: Anything further?

13 MR. TRIVETTE: No.

14 THE COURT: I think he's met the more
15 stringent standard general acceptance but let
16 me ask you just in the alternative, the
17 method about which you have testified, first
18 of all. Is that generally accepted in the
19 field and among similar situated experts as a
20 reliable scientific method?

21 THE WITNESS: Yes, sir, it is.

22 THE COURT: It's generally utilized
23 within this field for the purposes in which
24 you are utilizing it in this case?

25 THE WITNESS: In this case. There are

1 limitations and the fact that if you draw
2 your line on back, on back, you can get back
3 to the point where someone hadn't consumed
4 anything and your line would say that there
5 was something there. That is if you don't--
6 you can go too far back, but in this case we
7 have good time points so it allows us to draw
8 the line back on beyond the crash, actually.

9 THE COURT: And the-- the methodology
10 is based on science and scientific knowledge?

11 THE WITNESS: Yes, sir.

12 THE COURT: And it is-- you have described
13 for us variables or the variables are
14 otherwise subject to description or
15 disclosure, the variables that may be
16 involved with this process?

17 THE WITNESS: Those variables--

18 THE COURT: Either you have described
19 them or they are capable of being described
20 and you are capable of describing those
21 variables?

22 THE WITNESS: I think I already have.

23 THE COURT: My question is, have you
24 described them or are they capable of being
25 described?

1 THE WITNESS: I think I have described
2 the variables, I'm sorry.

3 THE COURT: Next question, the
4 information, methodology and the variables
5 are subject to publication of some sort?

6 THE WITNESS: They have been published,
7 yes, sir.

8 THE COURT: And are available for
9 review by other persons in your field?

10 THE WITNESS: Yes, sir. I have copies
11 of them with me.

12 THE COURT: And the-- there has been
13 some test or verification of this methodology
14 and of the variables?

15 THE WITNESS: Yes, sir.

16 THE COURT: All right. I don't have
17 anything further. I do find that he is an
18 expert, which there's been no contest to, and
19 in the alternative I find that methodology
20 about which he testifies is generally
21 accepted, that it is generally accepted which
22 is the higher standard, and I also find that
23 it also meets the Dobear or Dobar
24 (phonetically) standard.

25 Also find that otherwise the

1 information will be helpful to the jury, he
2 is able to articulate he is an expert which
3 has not been contested and therefore the
4 other matters go to weight, not admissibility
5 and are subject to cross-examination.

6 Anything further?

7 MR. SANDERS: No, sir.

8 THE COURT: Bring our jurors back,
9 please.

10 (The jury panel was returned to the
11 courtroom.)

12 MR. TRIVETTE: Shall I proceed?

13 THE COURT: Yes, sir.

14
15 BY MR. TRIVETTE: (Direct examination)

16 Q. Mr. Glover, I asked you to take a look at
17 what we had marked as State's Exhibit 16 and asked you
18 if you could identify it and I believe you indicated
19 you could?

20 A. Yes, it's BAC time curve that I
21 constructed.

22 Q. Now, a BAC time curve, would you just
23 explain-- well, let me ask you, if I ask you some
24 questions about retrograde extrapolation, the process
25 you have described earlier, of calculating back from a

1 known point and known quantity to an earlier time,
2 would this chart assist you in explaining your answer
3 of that question to the jury?

4 A. Yes, it would.

5 MR. TRIVETTE: Your Honor, I would move to
6 admit it for illustrative purposes.

7 MR. SANDERS: Note my objection, Your
8 Honor.

9 THE COURT: Well, is there some other
10 basis other than that which you have already
11 articulated?

12 MR. SANDERS: No, sir.

13 THE COURT: Then overruled on that
14 basis.

15 (Received and marked as State's Exhibit
16 16, by the Court.)

17
18 BY MR. TRIVETTE:

19 Q. Mr. Glover, if you could, could you step
20 down here just a second and bring with you State's
21 Exhibit 16. I would ask you just to set it right
22 here. And if you would, can you just explain what the
23 information is on here and how you come to-- to show
24 this BAC time curve?

25 A. On this ordinate we have got the alcohol

1 concentration that goes-- starting at zero, that time
2 across the bottom. Noon was the time that the
3 drinking started. The crash was at 2:50. I have
4 marked it up here. The blood draw was at 7:25,
5 indicated where the blood draw was here. The recorded
6 concentration of alcohol at the-- that resulted from
7 the blood draw was a .21, which would intersect at
8 this point. When you take this-- the concentration at
9 this point in time and you do a retrograde
10 extrapolation, you add a certain amount of alcohol
11 back to the value that you had here, you add it to it
12 for every hour going back. So what I have done is I
13 have--

14 Q. Try to turn this a little bit, sorry, Mr.
15 Glover.

16 A. What I did is, I added that value back to
17 this and drew a straight line. This is the time of
18 the crash and this shows what the-- about what the
19 concentration of alcohol would have been at the time
20 of the crash.

21 Q. Now let me ask you, this part of your
22 curve, from your known blood draw and your known
23 amount backwards, is that based in any way on the
24 information of how much she consumed?

25 A. No, it's not related to how much she

1 consumed. It's an average value, it's a conservative
2 low value for the elimination of alcohol, and the
3 drinking scenario here was calculated and plotted but
4 it doesn't really matter what this portion of the
5 curve, the shape or the slope of this line doesn't
6 really matter. Since the drinking stopped at about
7 2 o'clock, that is one of the key times because there
8 is no more alcohol going into her system to be
9 absorbed. So at that point she is going to eliminate
10 and you are going to have a steady state of
11 elimination of alcohol until it's gone.

12 Q. Now you drew a peak in this curve?

13 A. Yes, I did.

14 Q. Now what did you base the peak on?

15 A. Well the peak is based on when the
16 drinking stopped, by in large, and the fact that there
17 was no food consumed during the drinking. The last
18 alcohol that was consumed was a small volume, high
19 concentration alcohol. And there is about-- that
20 would take usually a shorter length of time to be
21 absorbed so it allows you to predict about where the
22 peak would be.

23 Q. So in your opinion based upon just the
24 peak, not what her alcohol concentration was at the
25 time of the crash but just based upon-- just the peak,

1 in your opinion, what was the time of her peak based
2 upon the information testified to or provided as to
3 the consumption and the time she stopped drinking?

4 A. Around 2:30.

5 Q. Around 2:30?

6 A. Prior to the crash.

7 Q. Hand you what's been marked as State's
8 Exhibit 17, Mr. Glover. Could you please identify it,
9 of course, for the record first?

10 A. It's a table that I constructed. It's
11 more a numerical representation of the elimination
12 that is in the blow-up there. It's just, I took the
13 concentration from the blood draw at 7:25 and did the
14 retrograde extrapolation but I put numbers on it.

15 Q. And if I ask you some questions about your
16 calculations as to the retrograde extrapolation, would
17 that chart assist you in illustrating your testimony
18 to this jury?

19 A. Yes, it would.

20 MR. TRIVETTE: Your Honor, I move to admit
21 State's Exhibit 17.

22 MR. SANDERS: Your Honor, I object,
23 same grounds as State's Exhibit 16.

24 THE COURT: Overruled.

25 (Received and marked as State's Exhibit

1 17, by the Court.)

2

3 BY MR. TRIVETTE:

4 Q. If you would, if you would step down
5 again, Mr. Glover. If you would, would you just
6 explain, Mr. Glover, what the numbers here are on this
7 chart?

8 A. I have got the crash time, the blood
9 draw--

10 Q. Now the first column, this is time?

11 A. Time, and the blood alcohol concentration.
12 It was a .21, I believe Agent Stark said, 213. I used
13 210.

14 Q. You rounded it down?

15 A. Because that is-- the truncated value is
16 what you use. I started with the truncated value. It
17 doesn't really change the final calculation. I added
18 .0165 per hour going backwards. This time, actually I
19 calculated the value at the time of the crash and then
20 I just showed it decreasing because what you can see
21 is that with each hour that passes, the alcohol
22 concentration would have decreased by .0165 per hour
23 and the last one is 35 minutes so there is not as much
24 change from this reading to that.

25 Q. And your calculation indicated that at the

1 time of the crash at 2:50 p.m., in your opinion, what
2 would have been the alcohol concentration in the
3 defendant?

4 A. About a .28.

5 Q. This number here, this elimination rate,
6 Mr. Glover, is that number recognized in your field as
7 a conservative elimination rate?

8 A. Yes, it is.

9 Q. How conservative is it viewed?

10 A. About seven percent of the people would
11 have a slower elimination rate. About, I believe it's
12 about 13 percent or in that range, and the rest of the
13 people eliminate at a faster rate.

14 Q. If you use a number that most of the
15 experts in your field rely on, in other words, a
16 faster elimination rate-- is that correct, is that
17 true?

18 A. Faster elimination rate--

19 Q. Wait a minute. Do most experts recognize
20 a number that calls for a faster elimination rate than
21 the one you have used in this case?

22 A. Well, there is a faster rate, again,
23 depending on gender and depending on alcohol
24 concentration, amount of alcohol experience. A more
25 accurate or more average value would be a faster rate.

1 Q. More average or accurate value would be a
2 faster--

3 A. More average value.

4 Q. All right. And if you use a faster rate
5 in calculating the retrograde extrapolation, what
6 happens to this number at 2:50 p.m.?

7 A. It would go up.

8 Q. It would go even higher?

9 A. Yes, it would.

10 Q. Now what factors-- you said that-- you
11 mentioned some other factors that can make the
12 elimination rate faster in some people?

13 A. Yes.

14 Q. Now does gender affect the elimination
15 rate?

16 A. Gender does affect.

17 Q. How?

18 A. Females tend to eliminate at a faster
19 rate. They circulate more blood through their liver
20 per unit time than males so there is a greater
21 opportunity for the alcohol to be metabolized.

22 Q. Does drinking history affect the
23 elimination rate?

24 A. Chronic abusers tend to have accelerated
25 rates. When people get above the .30, they go into

1 another metabolic pathway that causes that rate to go
2 up but that is, I would say rare, but those are for
3 the chronic users.

4 Q. All right. And what about a higher BAC?

5 A. People at higher BAC's tend to--

6 Q. Is that blood alcohol concentration?

7 A. Yes. They tend to have a faster
8 elimination rate also.

9 Q. So all those factors would increase this
10 number that you got .285 at 2:50 in the afternoon?

11 A. Yes.

12 Q. Now Mr. Glover, is this calculation right
13 here that you have done, this retrograde
14 extrapolation, again, does it-- is it in any way
15 dependent on knowing how much alcohol or the
16 concentration of alcohol that the defendant allegedly
17 had consumed?

18 A. No. It's based on the result from the
19 blood draw at 7:25 and using the elimination rate.

20 Q. And does this number in any way-- does
21 tolerance affect this? I guess that is the same--
22 does tolerance change the elimination rate?

23 A. No. Tolerance wouldn't be--

24 MR. TRIVETTE: All right. Thank you. You
25 can have a seat back up there. Thank you, Mr.

1 Glover. I don't have anymore questions.

2 THE COURT: Cross-examination?

3 MR. SANDERS: Yes, sir.

4
5 CROSS-EXAMINATION

6
7 BY MR. SANDERS:

8 Q. Mr. Glover, first of all, your
9 calculations as you have recited them to the jury are
10 based on certain assumptions, are they not?

11 A. It's based on research that has--
12 published research where people have looked at
13 elimination rates. It is based on that.

14 Q. But for purposes of this case, it is based
15 on certain assumptions?

16 A. Well, the alcohol concentration at the
17 time of the blood draw is not an assumption. That is,
18 I think, a fact in the case and I use that fact plus
19 an elimination rate.

20 Q. But it could be an assumption because you
21 are assuming the integrity of that blood sample, am I
22 right?

23 A. Okay.

24 Q. Do you agree?

25 A. Oh, if you are saying-- I am accepting

1 that blood-- that concentration as being correct.

2 Q. And you are assuming that the-- that Missy
3 had her last drink approximately 50 minutes prior to
4 the collision?

5 A. That would-- that could shift the peak but
6 it would not shift the rate. It would not shift the
7 elimination rate. It would shift the peak some.

8 Q. You are assuming that there were
9 approximately four and a half hours from the time of
10 the accident until the blood was drawn?

11 A. I believe the times show that that is the
12 time.

13 Q. And you are using your-- what you call
14 your conservative elimination rate?

15 A. Yes, I am.

16 Q. And you are assuming that Missy was fully
17 absorbed?

18 A. Again, based on the drinking scenario as I
19 understand it, my opinion is that she was fully
20 absorbed, so, yes.

21 Q. And that opinion is based on your
22 assumption that she had not had anything to eat?

23 A. It's based on the drinking scenario.

24 Q. Well, weren't you told by the District
25 Attorney's Office-- they are the ones that gave you

1 this information and weren't you told that she hadn't
2 had anything to eat?

3 A. That is what I understood, that she had
4 not had anything to eat.

5 Q. And you yourself have never examined or
6 been privy to any examinations of Missy Marvin?

7 A. No, I have not.

8 Q. Of her person?

9 A. No, I have not.

10 Q. Of her body's manner of metabolizing
11 alcohol?

12 A. Well, I have never heard of any-- I have
13 only understood one way that alcohol is metabolized.

14 Q. I'm sorry, I said that wrong. It's
15 metabolized by human beings in the same way?

16 A. Yes, it is.

17 Q. But at different rates?

18 A. At different rates. But again, there are
19 ranges for those rates with thousands of subjects that
20 have been examined.

21 Q. But it does vary?

22 A. It certainly varies.

23 Q. And you said women tend to eliminate at a
24 higher rate?

25 A. Yes, they do.

1 Q. They tend to, that is not an absolute, for
2 example?

3 A. No, it's not.

4 Q. And as to the alcohol concentration which
5 you say based on your formula at the time of the
6 accident would have been a .285?

7 A. Yes.

8 Q. That would also assume what you call the
9 peak, the highest alcohol concentration at about 2:30?

10 A. Well, the 285 is based on the-- drawing
11 the blue line back. The peak could shift some, if you
12 are wanting to suggest that she wasn't fully absorbed
13 at the time of the crash.

14 Q. I am not suggesting anything, just asking
15 you because I thought I understood that chart, not the
16 one that is shown to the jury but the one that's
17 behind it, I thought that your peak as you drew it on
18 that chart was at about 2:30, is that correct?

19 A. Correct.

20 Q. And do you know how many drinks a .213 is
21 the equivalent of?

22 A. I would need to know the weight of an
23 individual and volume distribution and we use averages
24 as far as volume of distribution for males and
25 females. But you can calculate the amount of alcohol

1 in a subject then but again it is a calculation, not a
2 measurement.

3 Q. Did the District Attorney's Office provide
4 you with any calculations or any sizes of Ms. Marvin?

5 A. I understood her weight, and I don't
6 recall where I found her weight, but again, her weight
7 would not have influenced the elimination rate. Her
8 weight would influence the absolute amount of alcohol
9 that it would take to get to a particular alcohol
10 concentration. But when you are taking the
11 concentration at the blood draw, doing the retrograde
12 extrapolation, her weight doesn't matter.

13 Q. And you based, I think you told the jury,
14 you based your calculations on the assumption that she
15 had two Margaritas and three shots of a 100-proof
16 liquor?

17 A. That is-- again, the ascending or black
18 portion of the curve, the shape of it is affected by
19 the timing and the concentration. The peak was
20 derived by doing the retrograde extrapolation.

21 Q. And in your opinion, those five drinks
22 would take her to a .285?

23 A. If those-- I don't know and I don't know
24 that it has been testified to, the exact amount of
25 alcohol that was consumed at that time.

1 Q. And so then naturally, you don't know the
2 exact amount of alcohol?

3 A. No, I don't. I don't know.

4 Q. All right.

5 MR. SANDERS: Thank you. That is all the
6 questions I have.

7 THE COURT: Redirect?

8
9 REDIRECT EXAMINATION

10
11 BY MR. TRIVETTE:

12 Q. Mr. Glover, again, does the amount of
13 alcohol in the drinks or the number of drinks or her
14 weight, do any of those factors affect your
15 calculation of retrograde extrapolation working from
16 7:25 p.m. on the 6th of April at a .210, to calculate
17 back to the time of 2:50 p.m. and the result that you
18 got?

19 A. Does not matter.

20 Q. Does not matter at all?

21 A. At all.

22 Q. And are you confident, sir, based upon
23 your training and experience and information in the
24 field that you work in, of your calculation of a .285
25 at 2:50 p.m.?

1 A. Ask your question again.

2 Q. Are you confident of the result?

3 A. Yes, I am confident.

4 MR. TRIVETTE: Thank you. That is all I
5 have.

6 THE COURT: Recross.

7 MR. SANDERS: No, thank you.

8 THE COURT: You may step down. Counsel
9 approach.

10 (Whereupon an off-the-record discussion
11 was held.)

12 THE COURT: Ladies and gentlemen, it's
13 an appropriate time for us to take an
14 afternoon recess. As I indicated and
15 forewarned you yesterday, it might be a bit
16 necessary to go a bit further today. Before
17 we go much past 5 o'clock, however, I will
18 make additional inquiry of you but at this
19 time I will ask you to take a ten-minute
20 recess. In ten minutes, please come back in
21 and take the same seats you now occupy and
22 we'll resume with the dispatch of this case.

23 All right. We are in recess.

24 (Whereupon the trial recessed. After
25 recess, the trial continued as follows:)