

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15

TABLE OF CONTENTS

SWORN WITNESS: PAUL GLOVER..... 3

DIRECT EXAMINATION BY MR. HORNER 3

VOIR DIRE EXAMINATION BY MR. VANNOY 8

DIRECT EXAMINATION CONTINUED BY MR. HORNER 10

CROSS-EXAMINATION BY MR. VANNOY 25

CROSS-EXAMINATION CONTINUED BY MR. HORNER 28

CROSS-EXAMINATION BY MR. VANNOY 33

REDIRECT EXAMINATION BY MR. HORNER 67

RECROSS-EXAMINATION BY MR. VANNOY 72

REDIRECT EXAMINATION BY MR. HORNER 75

RECROSS-EXAMINATION BY MR. VANNOY 75

[END OF PAGE]

1 **SWORN WITNESS: PAUL GLOVER**

2 **DIRECT EXAMINATION BY MR. HORNER**

3 Q. If you would, state your name, please.

4 A. My name is Paul L. Glover.

5 Q. And how are you employed, Mr. Glover?

6 A. I'm the research scientist and branch head for the
7 Forensic Test for Alcohol which is branch of the Department of
8 Health and Human Services.

9 Q. And in that capacity what, what do you do?

10 A. Well, our branch has twenty-eight individuals in it.
11 We oversee a number of different programs. I oversee all of
12 the different programs and obviously all of my staff. We
13 train law enforcement officers on how to operate breath test
14 instruments that are used in DWI cases. We also perform
15 maintenance on all those instruments. All of them that are
16 used throughout the state are owned by us. We also do
17 training on, for drug recognition experts; those are the
18 officers who are going to get specialized training in
19 observing effects of drugs in individuals. We have the
20 Batmobile program which involves DWI checkpoints in vehicles
21 that are used there. So I, I oversee that. I do in-service
22 training for my staff on issues related to breath testing for
23 alcohol, blood testing for alcohol, blood testing for drugs
24 and urine testing for drugs. I evaluate permits that are
25 submitted to me from individuals who want to become analysts

1 for analyzing blood. And we have some analysts at the
2 Charlotte-Mecklenburg crime lab. All the rest of them are
3 with the State Bureau of Investigation. So I review their
4 applications, their methods and their abilities to perform the
5 analysis and then determine whether or not that we issue the
6 permit.

7 Q. Okay. And, if you would, just describe briefly the
8 subject matter of your specialty.

9 A. I deal with scientific issues related to breath
10 testing and blood testing for drugs and alcohol.

11 Q. And, do you have any particular specializations
12 within that particular field?

13 A. Basically, just issues related to those, any
14 scientific issues related to the, the testing or the effects
15 of drugs and alcohol.

16 Q. Okay. And what is your educational background?

17 A. I have a BS in biology that I got at Florida State
18 University in 1974 and a master's in biology that I got at
19 Florida State University in 1978.

20 Q. Okay. And, in addition to that education, do you
21 have any other specialized degrees or training?

22 A. I'm certified as a chemical analyst on the breath
23 test instruments in this state, certified to do preventive
24 maintenance on them, factory-trained on maintenance on alco-
25 sensors. I attended a course of instruction for highway

1 safety supervisors at Indiana University. That course dealt
2 with humans and alcohol, various methods for determining
3 alcohol concentration in individuals, how alcohol gets in
4 them, where it goes in them, the effects it has on them, the
5 way the body eliminates the alcohol and, and, like I said, the
6 various methods for determining alcohol concentrations. I
7 also attended a course of instruction at Indiana University
8 that deals with the effects of drugs on human psychomotor
9 performance.

10 Q. Okay. Do you have any, have you held any positions
11 since the completion of your formal education in reference to
12 law enforcement or to the position that you now currently
13 occupy?

14 A. Yes. I was a research scientist at Oakridge
15 National Laboratory, Oakridge, Tennessee for seven years, a
16 research scientist at the National Institutes of Environmental
17 Health Sciences in Research Triangle Park for five years and
18 research scientist at Burroughs-Welcome Pharmaceutical in
19 Research Triangle Park for seven years. I've been a reserve
20 officer in Durham since 1986. I'm currently deputy chief in
21 the reserve program. And I've been a reserve officer at the
22 University of North Carolina at Chapel Hill since 1992 where
23 I'm a lieutenant.

24 Q. Okay. How long have you been at your current place
25 of employment? I'm sorry, you might have already answered

1 that, but----.

2 A. It will be twelve years on August 31st.

3 Q. Now, if you would, in the course of your occupation,
4 do you actually, I believe you, you briefly touched on this,
5 but you actually teach classes with regard to this particular
6 occupation, is that correct?

7 A. I do teach some classes. We teach a, an alcohol
8 toxicology class to new prosecutors, to new district court
9 judges whenever we're asked to do one of those sessions. My
10 agency teaches classes. I'm involved in some of the classes
11 but, certainly, not all of them.

12 Q. Okay. And have you published any, any works with
13 regard to your occupation?

14 A. Yes. I did a study on the effects of interfering
15 substances on breath alcohol testing which was presented for
16 presentation at a conference in Stockholm, Sweden. It was
17 published as part of their proceedings. And, had another
18 presentation on the effects of heat on blood samples
19 containing alcohol which was for presentation in Montreal and
20 it was published as part of their proceedings.

21 Q. Okay. Now, if you would, just describe for the
22 ladies and gentlemen of the jury, or if you could explain,
23 what does forensic, what is a forensic blood alcohol
24 physiologist?

25 A. It would be an individual who's going to be looking

1 at alcohol in humans from, when we're putting in the forensic
2 component it's within the context of law enforcement or the
3 legal aspects of it. And, it's an individual who's going to
4 look at results, look at times, parameters, whatever might be
5 involved in evaluating, say, a result, an alcohol result.

6 Q. Okay. And what about a forensic blood
7 pharmacologist?

8 A. That's going to deal with the effects of the, how
9 the body's going to be handling the drug, what the drug is
10 going to be doing to the individual.

11 Q. And, you are actually both, is that correct?

12 A. I have been, yes.

13 Q. Okay. And you've been tendered as an expert in
14 those particular areas before, is that correct?

15 A. That's correct.

16 Q. And, also, as an expert in breath and blood alcohol
17 testing?

18 A. That's correct.

19 Q. And an expert in the effects of drugs on human
20 performance and behavior, is that correct?

21 A. Yes, I have.

22 Q. How many times have you testified on those matters
23 before?

24 A. In total, between two hundred and thirty, two
25 hundred and forty times in the state of North Carolina.

1 Q. Okay. Have you testified in, in different courts?

2 A. Yes. I've, I've testified in about seventy
3 different counties and district court, superior court and,
4 also, in federal court.

5 Q. Okay. Your Honor, at this time the State would
6 tender Mr. Glover as an expert in the field of forensic blood
7 alcohol physiology and pharmacology, breath and blood alcohol
8 testing and the effects of drugs on human performance and
9 behavior.

10 MR. VANNOY; Can I ask some questions?

11 THE COURT: Yes.

12 **VOIR DIRE EXAMINATION BY MR. VANNOY**

13 Q. Mr. Glover, you said you had a degree, a bachelor of
14 science degree in biology and a master of science degree in
15 biology. Is that right?

16 A. That's correct.

17 Q. Do you hold any other degrees any other than that?\

18 A. No, I do not.

19 Q. You're not a doctor?

20 A. No, I am not.

21 Q. You said that, Mr. Horner asked you if you were a
22 physiologist or a pharmacologist and you said you have been.
23 What'd that mean?

24 A. It means that I've been tendered and accepted in
25 those fields before.

1 Q. You've been tendered and accepted but you, you've
2 not received any specialized training to become a physiologist
3 in your practice, have you?

4 A. I don't have a certification as one or a specific
5 degree in that, no.

6 Q. And you don't have any specific degree or any
7 certification as a pharmacologist either, do you?

8 A. No, I do not.

9 Q. The, so your current position is a research
10 scientist and you're the head of the forensics, Forensic Test
11 for Alcohol branch?

12 A. Yes.

13 Q. And the classes you said you went to, you went to a
14 course at Indiana University, you said?

15 A. Yes, I did.

16 Q. When was that?

17 A. The alcohol class would have been probably 1998, if
18 I remember correctly.

19 Q. Have you gone to any other courses since then?

20 A. The effect of drugs on human psychomotor performance
21 would have been a couple of years after that.

22 Q. So, about 2000?

23 A. I'm guessing 2000. I don't remember the exact date.

24 Q. How about since 2000?

25 A. Courses?

1 Q. Yes, sir.

2 A. No courses. Attended conferences with sessions in
3 those conferences that deal with related topics, but no
4 specific courses.

5 Q. How many hours were each one of those courses?

6 A. The alcohol course is a four and a half days, as I
7 recall, so that would make it right at thirty-six hours. The
8 drug course, as I recall, was three and half days.

9 Q. About how many hours was that?

10 A. That would make it about twenty-eight.

11 Q. All right, sir. No more questions.

12 MR. VANNOY: Based on that I, I would object
13 to his tender as offered, Your Honor.

14 THE COURT: All right. All right. Witness
15 may testify and give opinion testimony in those fields and
16 testify as an expert in those fields as allowed by law. The
17 exception is noted for the record.

18 MR. HORNER: Thank you, Your Honor.

19 **DIRECT EXAMINATION CONTINUED BY MR. HORNER**

20 Q. Mr. Glover, at some point in time after March the
21 26th 2007 were you contacted by my office with regard to
22 looking over certain information involving the defendant,
23 Ricky Dean Norman?

24 A. Yes, I was.

25 Q. Okay. And what was the purpose, or what were you

1 asked to do in reference to Mr. Norman?

2 A. I was asked to look at various results, those being
3 different blood tests, those results and I looked at them with
4 respect to the time of the test, time of the crash and give an
5 opinion as to the significance of the results of those
6 different tests with respect to alcohol and anything else that
7 was found that night.

8 Q. Okay. And did you do that?

9 A. Yes, I did.

10 Q. Okay. And, okay, I'm going to hand you what's been
11 marked as State's Exhibit Number 49. Do you recognize that,
12 sir? I'm sorry. It should be 50, State's Exhibit Number 50.
13 Do you recognize that, sir?

14 A. Yes. It's a report that I sent to your office.

15 Q. Okay. And that you prepared in this case?

16 A. Yes, it is.

17 Q. If you would just maintain that. Now, you were able
18 to, to, or some information with regard to the defendant's
19 record was provided to you, is that correct?

20 A. That's correct.

21 Q. That being his records, his medical records from
22 Baptist Hospital?

23 A. Yes.

24 Q. His, the SBI laboratory results, being the blood
25 alcohol results, is that correct?

1 A. That's correct.

2 Q. The results from the North Carolina State Bureau of
3 Investigation laboratory with regard to the controlled
4 substances found in his blood system.

5 A. That's correct.

6 Q. Is that correct? And, the, the medical, the record
7 from the North Carolina Highway Patrol, at least of the
8 record, with regard to the accident, is that correct?

9 A. That's correct.

10 Q. And, also, the medical records from Hugh Chatham
11 Memorial Hospital, is that correct?

12 A. Some of them, yes.

13 Q. Some of them. Okay. Now, you were able to
14 determine from the, at what time were you able to determine
15 with regard to the North Carolina Highway Patrol investigation
16 report as to what time the accident had occurred?

17 A. The crash time that was reported at 17:49 or 5:49
18 p.m.

19 Q. Okay. And that was on March the 26th 2007, is that
20 correct?

21 A. Yes.

22 Q. Okay. And were you able, when you took a look at
23 the SBI laboratory results, you were able to determine that it
24 showed positive for alcohol, is that correct?

25 A. That's correct.

1 Q. And, you were able to determine, were you not, that
2 the blood for that report was drawn at what time?

3 A. At 20:03 which would have been 8:03 p.m.

4 Q. Okay. And that was at Hugh Chatham?

5 A. Yes.

6 Q. And what was the result of that blood draw?

7 A. Result of that blood draw is reported by the SBI was
8 .03 grams of alcohol per one hundred milliliters of whole
9 blood.

10 Q. Okay. And, you were also able to examine that
11 medical records from Baptist Hospital with regard to the blood
12 draw, is that correct?

13 A. That's correct.

14 Q. Were you able to determine that----? Well, strike
15 that. Also, you were able to determine from the SBI blood
16 draw that he had controlled substances in his system at the
17 time, is that correct?

18 A. That's correct.

19 Q. Okay. Now, when you examine the Baptist Hospital
20 records, what time was the blood drawn or what time, what time
21 was the blood drawn there at Baptist Hospital or was the urine
22 specimen taken at Baptist Hospital?

23 A. I'm not seeing the hospital collection time right
24 now. Oh, 20:49 or 8:49.

25 Q. Okay. And that was, again, on March the 26th, is

1 that correct?

2 A. That's correct.

3 Q. Same day as the accident and the same day that, that
4 it was taken for the blood draw for Hugh Chatham?

5 A. That's correct.

6 Q. And what was the result of the blood draw from
7 Baptist Hospital?

8 A. That result was thirteen milligrams per deciliter of
9 plasma, as opposed to whole blood.

10 Q. Okay. And there's a conversion from plasma to whole
11 blood, is that correct?

12 A. That's correct.

13 Q. Did you do the conversion?

14 A. I did convert it to a whole blood value.

15 Q. And what was the conversion?

16 A. When I did the conversion the result after
17 truncation, that is to we drop any third digit and just report
18 it as two digits, would have been a .01.

19 Q. Okay. And is that one hundred milliliters of, grams
20 per one hundred milliliters of whole blood?

21 A. That's correct.

22 Q. Okay. Did you actually do that conversion and make
23 that a part of your report?

24 A. Yes, I did.

25 Q. Okay. And attached it to it?

1 A. Yes.

2 Q. Okay. And, based on the fact that you were able to
3 take a look at those, both the SBI blood result and the blood
4 result from Baptist Hospital, what were you able to do with,
5 with those two results?

6 A. What I was able to do is to calculate the rate that
7 the defendant's body was eliminating alcohol from his system
8 by having two different blood draws with time between them.
9 We looked at the difference in the two results and the elapsed
10 time and I was able to calculate his rate of elimination.

11 Q. Now that's the actual rate of elimination, is that
12 correct?

13 A. That's correct.

14 Q. Okay. And, were you able to calculate that?

15 A. Yes, I was.

16 Q. And what was his actual rate of elimination?

17 A. .0247 which means an individual's alcohol
18 concentration would be expected to go down .0247 for each hour
19 that passes.

20 Q. Okay. And based on his rate of elimination, were
21 you able to do some calculations with regard to the
22 defendant's alcohol concentration what it would have been at
23 the time of the accident?

24 A. Yes, I was.

25 Q. Okay. And do you have an opinion as to what that

1 would be within a degree of scientific certainty?

2 A. Yes, I do.

3 Q. And what is that?

4 A. The calculated value at the time of the crash would
5 have been a .08.

6 Q. Okay. .08?

7 A. Yes.

8 Q. And, is that process called retrograde
9 extrapolation?

10 A. It is.

11 Q. Is that process commonly accepted and within the
12 law?

13 A. Yes, it is.

14 MR. VANNOY: Objection, form of question.

15 THE COURT: Well, as to form, sustained.

16 Disregard the answer.

17 Q. Have you testified about retrograde extrapolation
18 before?

19 A. Yes, I have.

20 Q. Now, you indicated that his blood alcohol content at
21 the time of the accident was .08 grams per one hundred
22 milliliters of blood, is that correct?

23 A. That's correct.

24 Q. And what is the legal level of impairment in North
25 Carolina?

1 A. The per se limit is .08.

2 Q. Okay. Now, you've indicated that based on your
3 observations of the records that, that there were other
4 impairing substances detected in his blood, is that correct?

5 A. That's correct.

6 Q. There were cocaine metabolites detected in his blood
7 at Baptist, within Baptist Hospital's records, is that
8 correct?

9 MR. VANNOY: Objection to the leading.

10 THE COURT: Sustained.

11 Q. What, if any, impairing substances were you able to
12 observe or were recorded in the Baptist Hospital records?

13 A. I believe there's an indication of cocaine and
14 possibly benzodiazapenes or opiates. I, I don't recall right
15 off the top of my head on that one.

16 Q. Okay. What about with regard to the SBI lab
17 results?

18 A. The SBI lab report had presence of cocaine, presence
19 of cocaethylene, benzoecalnene, lidocaine and I believe
20 opiates.

21 Q. Okay. Now, at least to some degree with regard to
22 the lidocaine you were able to rule that out, were you not,
23 that that was a part of his medical treatment?

24 A. It is very likely the result of medical treatment.

25 Q. Okay. What about the other substances, the other

1 metabolites of cocaine?

2 A. Those would not have been a part of the treatment.

3 Q. Okay. And, if you would, describe what a metabolite
4 of cocaine, those particular metabolites of cocaine are.

5 A. Well, cocaine gets broken down by the body in the
6 liver. It would normally be broken down, the first metabolite
7 that would be generated would be bezoecalnene. In this case,
8 that was present but also present was a metabolite that's
9 formed when there's alcohol present, with alcohol with cocaine
10 and that compound is cocaethylene.

11 Q. Okay. And, if you would, describe what, what is a
12 parent compound?

13 A. A parent compound would be the original starting
14 compound. We frequently refer to compounds. In this case,
15 cocaine would be the parent compound. It's the compound that
16 you're starting out with initially.

17 Q. Okay. And, with regard to the particular
18 metabolites of cocaine, do you have an opinion based on your
19 training and experience as to what the half lives of those
20 particular metabolites would have been?

21 A. Yes.

22 Q. And what are they?

23 A. The half-life of cocaine is in the range of forty-
24 five minutes to maybe an hour and a half. We typically see it
25 at about forty-five minutes. So, the half-life of

1 cocaethylene is longer. It's in the range, depending on the
2 studies that you look at, a hundred and forty-three minutes,
3 maybe three to five times as long as cocaine's half-life. So
4 there's variation in that.

5 Q. And what's the significance of the issue of half
6 lives of these particular compounds with regard to finding
7 them in, when they're laboratory tested?

8 A. Well, the significance comes in when you look at
9 something with a short half-life. A half-life means how much
10 time does it take for a substance for say the body to break
11 down or reduce the concentration of a given drug by half. So
12 how much time does it take to reduce that concentration by
13 half? The half-life is significant when we're looking at
14 short half lives when they're measured in minutes or say less
15 than an hour. That means if a compound had a half-life of a
16 half an hour then half of it would be eliminated from the body
17 in the first half hour, half of the remaining compound would
18 be gone by the second half hour. If we're looking at a drug
19 that say has a six-hour half-life it would take six hours
20 before we would see it reduced.

21 Q. Okay. And when you find a metabolite are you always
22 going to find a parent compound?

23 A. No.

24 Q. Okay. And what's the significance in finding the
25 cocaine, the parent compound, in the SBI laboratory results?

1 A. In my opinion the significance of a parent compound
2 being present, because it does have a relatively short half-
3 life, it indicates recent use of the drug.

4 Q. Okay. And, when you compare that to the time that
5 the accident occurred, according to the information that
6 you've been provided, what is the significance of, of finding
7 the parent compound in the SBI laboratory testing at that
8 point?

9 MR. VANNOY: Objection.

10 THE COURT: Grounds?

11 MR. VANNOY: I don't think he's qualified to make
12 that opinion with regard to the accident.

13 THE COURT: Overruled. Do you have an
14 opinion?

15 A. Yes, sir.

16 THE COURT: All right. Overruled.

17 A. The concentration would have been higher at the time
18 of the crash.

19 Q. Now, you're familiar with, with the effects of
20 certain controlled substances on an individual's system, is
21 that correct?

22 A. That's correct.

23 Q. With regard to one's ability to drive, what would
24 the effects be with regard to ingestion of alcohol?

25 MR. VANNOY: Objection, speculation.

1 THE COURT: Repeat that question.

2 Q. What are the general effects of alcohol on an
3 individual's ability to drive?

4 THE COURT: Overruled.

5 MR. VANNOY: Your Honor, may I be heard?

6 THE COURT: All right. Let me ask the jury
7 to step back to your room for just a few minutes, please.
8 Yes?

9 MR. VANNOY: Your Honor, may it please the
10 Court, the basis of my objection is that there's no, this is
11 speculation because there's no foundation for it. We have no
12 foundation as to the quantity of the substance. That's not
13 been proven by the state at all. The time that it was
14 ingested, the amount that was ingested of alcohol or of
15 cocaine, there's absolutely no way to base an opinion on the
16 way it affects driving without knowing the way it was
17 ingested. Just like the prior experts testified to this
18 morning. The toxicologist from the SBI lab said you've got to
19 know when it was ingested. You've got to know what was
20 ingested. You've got to know the quantity of it being
21 ingested. And here, he's just asking him to make a general
22 determination of how cocaine might affect somebody's driving.
23 Well, it might affect my driving a whole lot different than it
24 affects anybody else's driving. So to make that determination
25 to some type of reasonable degree of scientific certainty

1 without any foundation or evidence to support it is
2 speculation and I would contend, based even on the prior
3 evidence the State's presented, that this opinion goes too
4 far. It's outside the scope of Rule 702 and, Your Honor,
5 should restrict it under Rule 403.

6 THE COURT: Well, how is that different than
7 the----. I mean when, when you have, you asked that question
8 about alcohol there are generally things that you can testify
9 to about what, how alcohol affects the body. The question is,
10 is how exaggerated those things have depending the amount that
11 one has ingested. I mean, it's, it's not that alcohol
12 doesn't. It's just that there are general things associated
13 with alcohol, as I'm sure there are with almost every drug,
14 and the, and the way that it impacts the body. The question
15 is how much does it take to have a certain affect on the body.
16 It's not that they don't have that, the effect. It's just,
17 the question is whether or not he had consumed enough to have
18 that effect or, and I doubt he can give an opinion as to what,
19 what in fact this would have had on Mr., on the defendant if
20 he had this in his system because he wouldn't know how much of
21 the cocaine he had in his system. He may have some idea as to
22 how much alcohol, but I'm, he's not being asked to tell how
23 much, what impact it had on him. It's just generally what
24 effects those things have on the body.

25 MR. VANNOY: My point exactly, Your Honor. To

1 even make a generalization of how it affects on driving one
2 would need to know how much is consumed, the quantity of it,
3 when it was consumed in relation to the driving. There has to
4 be more factors produced to lay a foundation for how you can
5 make that determination, otherwise, it's just a generalization
6 without any basis.

7 THE COURT: Well, I would agree with you
8 with regard to if he were saying this about, specifically
9 about the defendant, but that's not what the question is. The
10 question is generally what effect do these drugs have on, on,
11 on the body.

12 MR. VANNOY: I think if they had more foundation
13 for it then they might be able to make a generalization. My
14 point is there is no foundation for making that generalization
15 without knowing more specifics about what generalizations you
16 make in somebody who----. Another point scientifically, is it
17 makes a difference whether you snort it, whether you shoot it,
18 or whether you cook it. There's all kinds of different ways
19 to ingest cocaine. It, it, it affects the half-life. It
20 affects a lot of different things. It affects the, the affect
21 on the person. We have no evidence of that. And so just
22 saying, how does cocaine affect somebody's driving in general
23 without any basis at all as to the way it was taken, the
24 amount taken, any of those factors, I think goes outside of
25 Rule 702 being able to form a based opinion on it and it's

1 more prejudicial and it's misleading to the jury and should be
2 excluded under 403.

3 THE COURT: Let me ask you, are you saying
4 then that you don't think that there are studies that have
5 been done with regard to cocaine and, and the body to be able
6 to determine to list the, the impacts that, that that drug
7 could have on, not, not on him specifically, but on a person
8 in generally, depending on the amount ingested?

9 MR. VANNOY: I'm sure there are studies, but that
10 wasn't the question. The question was his opinion, to
11 generalize. I, I have no doubt that there are studies in the
12 scientific community that, that give you ranges of behavior
13 based on the way the study was created based on the intake of
14 the drug that was controlled.

15 THE COURT: So you----

16 MR. VANNOY: It might have been done in a social
17 setting but that wasn't the question. The question was his
18 opinion to generalize without any foundation for anything
19 else. So, yes, I agree with you that there are studies.

20 THE COURT: Do you have an opinion as to the
21 impact, the effect of cocaine on driving?

22 A. Based on the studies that I've read, yes, sir.

23 THE COURT: And what is, what, what is your,
24 what is that opinion?

25 A. Well, cocaine is a central nervous system stimulant

1 and what has been gathered in literature is an indication
2 that, well, first of all, it gives individuals a sense of
3 well-being, it being a stimulant, that lasts during the
4 euphoric phase. There are reports based on crashes and then
5 studies when they've looked at what's in an individual and
6 there's a correlation between high-risk driving; some of it
7 being high speed, some of it being fleeing, a number of
8 different things, specifically with driving where individuals
9 had cocaine in their systems and behavior was that of high-
10 risk driving.

11 THE COURT: You want to ask him any
12 questions about what he just said, Mr. Vannoy?

13 **CROSS-EXAMINATION BY MR. VANNOY**

14 Q. Yes, sir. The, these studies, can you tell me the
15 names of these studies, Mr. Glover?

16 A. There's a list, there's a paper done by Dr.
17 Isenschmid and he lists--and it's not his study--but a listing
18 within that review he did of crashes. I believe these were
19 from the Detroit or Fort Wayne, Indiana area where they looked
20 at crashes and behaviors and found association or correlation
21 between the presence of cocaine and high-risk driving.

22 Q. And, and Dr. who?

23 A. Isenschmid.

24 Q. Can you spell that? Do you have that study with
25 you?

1 A. I do. It's, well----. Would you like me to spell
2 it?

3 Q. Please.

4 A. I-S-E-N-S-C-H-M-I-D.

5 Q. In that study he did was the, the, the manner of
6 ingestion of cocaine documented in the study?

7 A. I don't think that it was. I don't know that they,
8 that they knew in those cases how it was ingested.

9 Q. Well, what, what did they, what basis did they
10 document their study on [inaudible] to the cocaine that was
11 found in the system of the subjects of the study?

12 A. They looked at resulting crashes or behaviors and
13 saw correlation between those behaviors in the presence of
14 cocaine.

15 Q. Did they, did they measure in that study any of the
16 amounts detected of cocaine in those subjects?

17 A. They did measured amount of cocaine, the amount of
18 benzoecalene, the amount of cocaethylene and the amount of
19 alcohol, if alcohol was present in those.

20 Q. So they did have some measurement of the quantity
21 that was involved?

22 A. In some of them, yes. They didn't have, some of
23 them they didn't find a parent compound. It looks like about
24 half didn't have any parent compound in there.

25 Q. All right. And you, you do not have any information

1 as to the quantity or amount of cocaine that was found in the
2 testing you reviewed in this case, do you?

3 A. That's correct, I do not.

4 Q. Were there any other studies you relied on?

5 A. Basically it, it's, this is where it has been
6 reported for the correlation as been seen between high-risk
7 driving and cocaine.

8 Q. All right. So you don't have any other studies?

9 A. Other studies on cocaine generally, but as far as
10 the high-risk driving I believe this is the one that Dr.
11 Isenschmid had----.

12 Q. And, in this study, was the conclusion based at all
13 on the amount of cocaine that was in the subjects?

14 A. No. In fact, they say that predicting a particular
15 behavior you, you can't really predict a particular behavior
16 based on the amount of it, you have to look at the behavior of
17 the individual.

18 Q. Look at the behavior of the individual.

19 A. Yes.

20 Q. I don't have any further questions.

21 THE COURT: Any questions you'd like to ask
22 him, Mr. Horner?

23 MR. HORNER: No, sir.

24 THE COURT: All right. All right. The
25 objection is overruled and the exception's noted for the

1 record. Okay.

2 MR. VANNOY: Thank you, Your Honor.

3 THE COURT: All right. Before I bring them
4 back in, man in the blue shirt out there, yes, you. This is a
5 courtroom. If you stretch in this courtroom one more time the
6 sheriff will just ask you to step outside. Understand?
7 Understand?

8 UNKNOWN MALE: Yes, sir.

9 THE COURT: All right. All right. You can
10 bring the jury back. All right. The objection is overruled.
11 Do you recall the question, sir? And the exception noted for
12 the record. Do you recall the question, sir?

13 **CROSS-EXAMINATION CONTINUED BY MR. HORNER**

14 Q. Would you like for me to repeat it?

15 A. Go ahead if you would.

16 Q. Mr. Glover, first of all with regard to alcohol,
17 what would the general effect of alcohol be on a person's
18 ability to drive?

19 A Alcohol is a certain nervous system depressant. It
20 tends to impact on divided-attention tasks. Driving is a
21 divided-attention task where you have to do a lot of things at
22 once. You have to maintain lane position. You have to
23 maintain speed. You have to maintain awareness of people
24 behind you, upcoming traffic, upcoming traffic controls. And,
25 as you drive you tend to juggle, if you would, all of these

1 different divided-attention tasks. And what we see with
2 alcohol in individuals is that their ability to juggle these
3 divided-attention tasks suffers when alcohol is present. We
4 see impairing affects with respect to the ability to deal with
5 things visually starting at .02, .03 as far as concentrations
6 go. As the concentration goes higher then we see an increase
7 in the effect on all of our, on our abilities to handle
8 divided-attention tasks.

9 Q. And what about the general effects of cocaine on a
10 person's ability to drive?

11 A. We see a correlation between what's considered high-
12 risk driving, speeding, sometimes fleeing, things like that
13 when cocaine is present in individuals. Cocaine being a
14 central nervous system stimulant it provides euphoria in the
15 individual. The first, probably, forty-five minutes there's a
16 euphoric phase but then we go into the disphoric phase where
17 they're coming down off the cocaine and then it's more like a
18 central nervous system depressant at that point.

19 Q. Okay. And, you indicated that, or you were able to
20 determine that the first test involving the, the, the blood
21 alcohol and the drugs conducted by the SBI was at 8:03, is
22 that right?

23 A. The collection was done then, yes.

24 Q. Okay.

25 Q. And, that the second test at Baptist was at 8:49, is

1 that correct?

2 A. That's correct.

3 Q. What is the significance, if any, of the fact that
4 the parent, that cocaine would be found in both tests?

5 A. It's significant to show that there's cocaine in the
6 body and still in the body that much time after the crash.

7 Q. Okay. Now, based on the fact that after you did the
8 retrograde extrapolation of the defendant's blood alcohol and
9 based on your calculations it was at .08 at the time of the
10 wreck. You, in the course of your job, do you do certain
11 testing of individuals with regard to what's called dosing?

12 A. Yes. We do controlled drinking exercises. Whenever
13 we conduct a week-long class for officers, and we do about
14 seventy of these classes a year when they are there for a week
15 to learn how to do breath testing. On Wednesday and Thursday
16 afternoons we divide the class in half and we dose the
17 volunteers with alcohol. And so we will look at their weight
18 and their gender and we dose them with the amount of alcohol
19 targeted to get them to a .08.

20 Q. Okay. And, how long have you done that?

21 A. Well, it's always been part of the program, so for
22 the past twelve years while I've been there.

23 Q. Okay. And then based on, on these programs, what do
24 you do with that information?

25 A. Well, we, we use it a couple of ways. We use it as

1 an educational tool for the officers. Most of them have never
2 had an opportunity to see, to experience impairment and see
3 the numbers that they blow at that time. And so it's, it's a
4 tool for them to become educated, a tool for them to be able
5 to see that in fact you can measure an alcohol concentrations
6 on the breath and you can measure it accurately. We have
7 looked at some of our schools where we've looked at the
8 elimination of alcohol. And we see, calculate the rate of
9 elimination. And we've been able to see that the rates that
10 we see when we actually dose people are consistent with the
11 rates that have been published in the literature for probably
12 seventy years.

13 Q. Okay. And would be consistent with the defendant's
14 rate of elimination?

15 MR. VANNOY: Objection, relevance and speculation.

16 THE COURT: Ask the question again.

17 Q. I asked if, if what he found in his dosing programs
18 if those rates of elimination are consistent, if the
19 defendant's rate of elimination is consistent with what he
20 found in those dosing programs?

21 THE COURT: Well, at this point, sustained.

22 Q. Okay. Mr. Glover, based on all your experience and
23 training, do you have an opinion based on the fact that once
24 you did the calculations for his blood alcohol at the time of
25 the accident, do you have an opinion as to how much alcohol he

1 would have had to consume to get to that .08 at 5:49 p.m. on
2 March the 26th 2007?

3 A. I can't tell you how much he had to consume because
4 I would need a point in time and if, if at a given point in
5 time we could calculate how much was in him at that time but
6 the amount that was consumed would depend on a really more of
7 a window of time. We can always calculate what's in them at a
8 particular time but, because drinking takes, takes place over
9 a window of time we need that. It's kind of like filling a
10 bathtub with the drain open. As soon as you start the water
11 running some is going down the drain. The more, the faster
12 the water's on at some point you'll start to accumulate.
13 Well, as soon as you start drinking alcohol you start
14 eliminating it right then. And if you drink more than, than
15 you're eliminating then your concentration's going to go up.
16 Well, a person could get it to a level and maintain that level
17 for hours and hours and hours depending on their consumption.

18 Q. Okay. Are you aware of the fact that the defendant
19 told Trooper Anderson that he consumed between four or five
20 beers between one thirty and three o'clock on that day?

21 A. Yes, I am.

22 Q. Is that consistent with having an .08 at 5:49?

23 A. Absolutely not.

24 Q. Okay. No other questions.

25 THE COURT: Cross-examine.

1 **CROSS-EXAMINATION BY MR. VANNOY**

2 Q. Mr. Glover, let's go back. First, I believe----.
3 You, you've been with the state, working with the state in the
4 Forensic Test for Alcohol branch since 1997, is that right.

5 A. That's correct.

6 Q. And, prior to that you worked, you said you did some
7 work at Burroughs-Wellcome for seven and a half years?

8 A. That's correct.

9 Q. You didn't have, you didn't work with alcohol at all
10 at Burroughs-Wellcome though, did you?

11 A. No, I did not.

12 Q. Didn't have anything to do with alcohol testing or
13 anything like that?

14 A. No.

15 Q. You also worked I think prior to that at Oak Ridge
16 Lab?

17 A. Actually, prior to that I was at NIEHS in the park.

18 Q. I'm sorry.

19 A. National Institutes of Environmental Health
20 Sciences. I was there before I went to Burroughs-Wellcome.

21 Q. All right. And when you were there you didn't do
22 any work with the studies of alcohol there either, did you?

23 A. No, I did not.

24 Q. When did you work at the Oak Ridge Lab?

25 A. That would have been from like a year before I got

1 my masters and for seven years so that was before NIEHS.

2 Q. All right. And you, you worked there, during your
3 work there you didn't do any studying of alcohol there either,
4 did you?

5 A. No, I did not.

6 Q. So all of your work related to alcohol has been
7 while you've been employed by the state of North Carolina, is
8 that right?

9 A. That's correct.

10 Q. You said you are currently a, a police officer?

11 A. Yes, I am.

12 Q. How long have you been a police officer?

13 A. This makes twenty-three years.

14 Q. In fact, your last job before going to the forensic
15 test for alcohol branch, you were working full-time as a
16 police officer, were you not?

17 A. I was. I, when I left Welcome I ended up going
18 full-time with Durham Police Department and then this position
19 with the state came up. It was straight hours instead of
20 rotating shifts and a number of other things made it
21 interesting so I went to that job but I maintained my
22 certification with Durham PD.

23 Q. All right. Now, as part of your job at the Forensic
24 Test for Alcohol branch you said you train law enforcement
25 officers across the state?

1 A. That's correct.

2 Q. And you train them how to use the breath testing
3 machines that are used in DWI cases, isn't that right?

4 A. That's correct.

5 Q. You also said you train the judges.

6 A. We get invited to a judges' training session that
7 happens once every year when we have new district court judges
8 who've either been elected or appointed, the School of
9 Government at UNC puts on a, a training session and we go
10 there to a presentation, do a controlled drinking exercise
11 with them, basically answer their questions, and do
12 demonstrations with the instruments.

13 Q. Well according to your curriculum vitae you've done
14 that 2000, 2001, 2003, 2004, 2005, 2006, 2007 and 2008. Is
15 that right?

16 A. And I believe I did one this year. I don't know.

17 Q. So, 2009, also.

18 A. Yeah. That plus we do the same kind of training for
19 new prosecutors. Sometimes that's been twice a year. Usually
20 it's once a year.

21 Q. All right. So, so not only do you train the judges
22 you train the prosecutors?

23 A. That's correct.

24 Q. And in, in fact you primarily work for and are
25 called to testify by prosecutors, are you not?

1 A. Primarily. I've been subpoenaed by the defense over
2 a hundred times and been called by the defense as a witness
3 for them six or eight times.

4 Q. So out of two hundred and thirty times you've been
5 to court you've testified for the defense six or seven?

6 A. Yeah.

7 Q. All right. And, Mr. Horner said he, in fact,
8 contacted you himself about this case, did he not?

9 A. I, I can't recall who I heard from first. I probably
10 heard from him but it would have been a phone call and, and I,
11 I don't remember exactly.

12 Q. Well I thought he asked you just a minute ago in
13 direct examination if he didn't contact you and ask you to
14 look at some results.

15 A. I believe he did contact me at one point but I, I've
16 been in touch with different people in his office and his----.
17 I don't keep track of the exact person I speak with every
18 single time, so----.

19 Q. Well, let me ask you this. Your report dated April
20 30th 2009 was addressed to Mr. Tom Horner the district
21 attorney. It states Mr. Horner, does it now?

22 A. Yes, it does.

23 Q. All right. So, sometime prior to April 30th you
24 talked to Mr. Horner about this case?

25 A. Yes.

1 Q. Now, your report states that, your report's dated
2 April 30th 2009, correct?

3 A. Yes, it is.

4 Q. And it states you reviewed the information, states:
5 "Dear Mr. Horner, I've reviewed the information provided to me
6 by your office in the above-captioned case. That information
7 consists of hospital reports showing hospital [inaudible] to
8 determine serum alcohol concentration. . ." and it also says
9 "a reporting", excuse me, ". . . a blood sample collected at
10 20:49 on 3.27.07 in a reported vehicle crash time was 17:49 on
11 3.26.07. . ." Is that right?

12 A. That's correct.

13 Q. Well, what did you have that reported to you the
14 vehicle crash time?

15 A. I believe it was a copy of the crash report that was
16 initially generated by the trooper.

17 Q. A, a standard accident report?

18 A. Yes.

19 Q. All right. So at the time is, is, am I right being
20 based on what you wrote in your April 30th report you had the
21 accident report, you had the SBI blood results and you had the
22 testing done at Baptist Hospital. Is that correct?

23 A. That's correct.

24 Q. And did you have any other information at that time?

25 A. I don't believe I did.

1 Q. All right. And based on that information you came
2 up with, you did the calculations that you testified to on the
3 conversation from plasma to whole blood, correct?

4 A. That's correct.

5 Q. And, based on the information that you had at that
6 time you did the extrapolation from .03 to your testimony's
7 .08, is that right?

8 A. That's correct.

9 Q. So you testified a minute ago that you, you also
10 reviewed some records from Hugh Chatham Memorial Hospital?

11 A. I, I reviewed records that were in the facts. I
12 don't know exactly which hospital was in all of them. It may
13 have just been the Moses Cone, but I, it just looks like Wake
14 Forest is the one that I had.

15 Q. All right. So, in fact you didn't, you have not
16 reviewed any of the Hugh Chatham Memorial Hospital records
17 have you?

18 A. Well, I, I saw some of them, but I couldn't say
19 specifically which pages or what I saw in them when I was here
20 last week.

21 Q. So, some of them you saw last week and that's the
22 first time you'd seen any of whatever it was you saw, correct?

23 A. Yeah.

24 Q. All right. So, certainly, you, your report that was
25 dated April 30th 2009, you didn't use any of the information

1 in the Hugh Chatham Memorial Hospital's record to make your
2 determinations, did you, sir?

3 A. No.

4 Q. The first thing Mr. Horner asked you about is you,
5 you made a conversion of the plasma or serum alcohol that was
6 done at Wake Forest?

7 A. That's correct.

8 Q. You converted that to whole blood?

9 A. That's correct.

10 Q. Why did you make that conversion from plasma to
11 whole blood?

12 A. Because plasma samples contain more water. Alcohol
13 goes into water containing tissues. Plasma has a higher water
14 content than whole blood so we know that whenever we're
15 looking at a plasma sample the reported alcohol concentration
16 is going to be higher in a plasma sample than in a whole blood
17 sample so we effectively reduce it by a fixed percentage.

18 Q. And what percentage did you use?

19 A. Well, it's right at eighteen percent. We actually
20 do it by dividing the value by 1.18 and then move the decimal
21 three places.

22 Q. And what's that based on?

23 A. It's based on a number of different things: one, it
24 has been used in North Carolina and is in case law in North
25 Carolina. Its origins come from peer review publications

1 where individuals have looked at alcohol concentrations. They
2 compared a sample of blood, split it into one two with whole
3 blood, one with plasma to determine the alcohol concentration
4 and then compare the two. The average value that they came up
5 with, or the average ratio, is 1.15 and if you add a standard
6 deviation to that you get 1.18. The higher the value then
7 the, the more it reduces the alcohol concentration. So we use
8 one standard deviation above the actual average value that's
9 reported.

10 Q. Now, that, that average conversion rate doesn't have
11 anything to do with the Ricky Dean Norman, does it?

12 A. Only in that the rate was determined in humans, in
13 human blood.

14 Q. All right. But it's an average conversion rate.
15 You, you're not testifying that that conversion rate is Ricky
16 Dean Norman's conversion rate, are you?

17 A. No, I'm not.

18 Q. You, you don't know what his conversion rate is, do
19 you?

20 A. No, I don't.

21 Q. So, you're using an average?

22 A. I am.

23 Q. His conversion rate could be different.

24 A. It could be different.

25 Q. And this average is based on the literature that you

1 review in the field, is that correct?

2 A. That's correct.

3 Q. All right. And you, you said you actually go up
4 from 1.15 to 1.18 basically to give the person that you're
5 testing the benefit?

6 A. Yes.

7 Q. So it would reduce it even more, is that right?

8 A. That's correct.

9 Q. And your results from making that conversion got you
10 to a value of .01----

11 A. That's correct.

12 Q. ----grams per one hundred milliliters of whole
13 blood?

14 A. That's correct.

15 Q. And the, the legal limit of .08 is also grams per
16 one hundred milliliters of whole blood, isn't that correct?

17 A. That's correct.

18 Q. So we're talking about the same points with .08 or
19 .01, right?

20 A. The same units, that's correct.

21 Q. All right. Now you use this .01 in determining Mr.
22 Norman's elimination rate and then in doing the extrapolation
23 that you testified about, isn't that correct?

24 A. That is correct.

25 Q. Now in reaching your opinion that his blood alcohol

1 level was .08 at the time of the crash, you had to do some
2 math to do that, did you not?

3 A. I did.

4 Q. And it's just a mathematical, arithmetic formula, is
5 it not?

6 A. It is.

7 Q. And you used, you took the .03 grams per hundred
8 milliliters of whole blood that was in the SBI report,
9 correct?

10 A. That's correct.

11 Q. And you used the time of the crash and the time the
12 blood was drawn to determine how much time that was, isn't
13 that right?

14 A. That's right.

15 Q. And that time was the difference between 5:49, the
16 time of the crash, and, 8:03 when the blood was drawn.

17 A. That's correct.

18 Q. That, that in your math calculated 2.23 hours,
19 right?

20 A. Um-hmm.

21 Q. So, the blood was drawn 2.23 hours after the crash.

22 A. Based on the reported time of the crash, yes.

23 Q. All right. Now, you're aware, aren't you, Mr.
24 Glover, that 2.23 hours is considered a relevant time after
25 driving for DWI purposes, is it not?

1 A. Yes, it is.

2 Q. And then you took the 2.23 hours and you multiplied
3 it by what you considered to be his elimination rate.

4 A. That's correct.

5 Q. Let's talk about his elimination rate a little bit.
6 You came up with an elimination rate of, you testified 2.0247.

7 A. Yes.

8 Q. And you testified that was his actual elimination
9 rate based on the difference in .03 and .01.

10 A. Yes.

11 Q. And you did it just on those two points, is that
12 correct?

13 A. Yes, I did.

14 Q. Now, when somebody drinks alcohol first they, they
15 have to absorb the alcohol first, do they not?

16 A. They start absorption and distribution as soon as
17 they start drinking.

18 Q. Well, really they're, when, when you talk about
19 this, the physiology of alcohol you're talking about the
20 absorption, the distribution, and the elimination of it, isn't
21 that correct, sir?

22 A. That's correct.

23 Q. All right. So when somebody starts drinking it
24 they're body starts absorbing?

25 A. It does.

1 Q. And then it starts distributing it and then it
2 eliminates it over a period of time.

3 A. Well, it, it has all, once you start drinking you
4 have within minutes all three processes going on. You're
5 absorbing it, you're distributing it throughout the body and
6 you're starting to eliminate it. As soon as the first blood
7 hits your liver with alcohol some of it's broken down right
8 then so all three processes start within minutes of starting
9 consumption.

10 Q. And when, when I'm talking about and you're talking
11 about this elimination rate, this is a rate you use to
12 determine what Mr. Norman's actual rate of elimination was
13 over a period of time?

14 A. That's correct.

15 Q. And you had to use that rate of elimination in your
16 mathematical formula to get to .08?

17 A. That's correct, I did.

18 Q. And, just like in the conversion, the average
19 conversion rate, the elimination rate differs, does it not?

20 A. The rate can differ. It's influenced by gender if
21 we're comparing similar populations but, gender's not an issue
22 and wasn't factored into this. It's effective probably by
23 more than anything experience with alcohol. And we find
24 chronic abusers with highly, highly elevated rates of
25 elimination. We find a population of individuals who've been

1 charged with DWI looking at them as a population we see a rate
2 of elimination higher than the average rate that we typically
3 use. And the average rate that we typically use is consistent
4 with what we see in individuals with very limited experience
5 with alcohol. So, there's a range.

6 Q. This range goes from----. I mean in the literature
7 there's a range from .009 all the way up to .03, is there not?

8 A. Point, no, .009 is not been considered to be a
9 credible rate. I don't know that there are any rates credible
10 below .01. In fact some rates in ultra-fast eliminators go up
11 to .054.

12 Q. Well, you would agree with me at least then that in
13 the literature that there's a, a rate of elimination?

14 A. There's a range of rates.

15 Q. A range of rates. All right. And, while I'm
16 talking about the literature, in your job you, you review
17 literature and look at studies of alcohol that other people
18 have done, do you not?

19 A. I do.

20 Q. And that's accepted within, in alcohol science to
21 look at this literature and to rely on it, right?

22 A. Well, we have to. We just, due to lots of
23 limitations, funding, staff and time, we can't all do the all
24 the experiments we might like to do so we do review on studies
25 that other people have done and have published.

1 Q. All right. Now, in order to get, to do this, this
2 retrograde extrapolation, that's, that's what you call the
3 mathematical formula you did, isn't that correct?

4 A. The process, yes.

5 Q. The, this retrograde extrapolation process, the body
6 has to have fully absorbed the alcohol before you can consider
7 the elimination is that right?

8 A. Before I can consider----?

9 Q. Well, the body has to----. Before you can do the
10 retrograde extrapolation the body has to have fully absorbed
11 the alcohol, does it not, sir?

12 A. To, to calculate an accurate one you would want
13 somebody to be fully absorbed, yes.

14 Q. And if somebody was not fully absorbed, if they had
15 not fully absorbed the alcohol, you couldn't calculate an
16 accurate number using retrograde extrapolation, could you?

17 A. That's correct.

18 Q. All right. Now, you testified that you use two
19 different points to determine his elimination rate. You've
20 previously testified in courts in this state that a
21 conservative elimination rate for somebody is .0165, have you
22 not?

23 A. That's correct, that is. That's the average value
24 that we use based on case law from 1985. If we don't have any
25 way of calculating an individual's rate then we use that

1 average value.

2 Q. All right. So you, you, in most of the cases you've
3 testified in you've used that average value of .0165.

4 A. Most of them. I've had, I don't know, eight, ten,
5 twelve, maybe, where I've been able to calculate their actual
6 rate of elimination.

7 Q. Well, if you use----. Let me ask you this, Mr.
8 Glover, if you used a different elimination rate, an
9 elimination rate below .0247 for Mr. Norman you would get a
10 blood alcohol content below .08, would you not?

11 A. That's correct. And if I used a larger one I'd get
12 a larger number.

13 Q. That's right. And, his, the number you used is on
14 the higher end of the ranges that are recognized in the
15 literature, are they not, is it not?

16 A. I would say it's right in there. It's, it's, it may
17 be on the shoulder. If they pump things up in the histogram
18 you can get a bell curve. It may not be dead center, maybe
19 slightly to the right of dead center, but it's not out here on
20 the edges where we find the ultra-fast eliminator say at .054
21 per hour.

22 Q. Well, did you, did you happen to do your
23 mathematical formula on a .0165 value that you've testified in
24 court before is a fair average value?

25 A. No, I did not.

1 Q. You didn't?

2 A. No.

3 Q. So you don't----. If, if you use .0165 that you've
4 used in court before you don't know what Mr. Norman's blood
5 alcohol content would have been, do you?

6 A. I did not do that math based on, again, North
7 Carolina case law. If the person's actual rate is available
8 then that's what's preferred.

9 Q. Well, let me ask you this. If you use .0165 you
10 would agree with me that it would be below .08, would it not?

11 A. Yes, it would be.

12 Q. Now, when you talk about a person's actual
13 elimination rate, there's really no scientific way to pinpoint
14 somebody's actual elimination rate, is there?

15 A. There is a way of, for that moment, for that time
16 and that's why having a points, different time points,
17 different alcohol measurements and so we get their rate for
18 that moment for that particular set of conditions. If we were
19 to dose them a day later, a week, a month, a year later we
20 might see something different. It's not going to be, assuming
21 they've not changed their behavior with respect to alcohol, we
22 wouldn't expect to see some drastic off the wall value. We
23 would expect to see a rate comparable to what we measured
24 before.

25 Q. Well, you, you're aware, aren't you, Mr. Glover,

1 that the literature that you rely on says that it's not proper
2 to use just two points to determine or try to determine an
3 actual elimination rate, are you not?

4 A. I know that some people have written that. I also
5 know that I've calculated rates on individuals when I've had
6 three and four points--that would be three and four points of
7 measurement over a period of time--and the ones I've looked at
8 I have seen consistent rates if I look at all four points, set
9 a straight line and the value. For that rate of elimination
10 has been the same whether I look at, at the value generated
11 between the first two points, second and third point, third
12 and fourth point or the whole line. My experience has been
13 that it is consistent for that time.

14 Q. Well, why did you use more than two points in those?

15 A. One case was an assault case where the victim had
16 about a .32 and they did multiple alcohol draws on her over
17 about ten hour period. One in particular was one where an
18 infant, thirty-seven day old infant, had been poisoned with
19 alcohol and they did multiple time points monitoring the baby
20 and that one, again, about eight or ten hours. And so, we have
21 other cases where we've gotten an implied consent blood draw.
22 That's one requested by an officer. Then there's been a
23 hospital draw and then there's been a subsequent blood draw
24 pursuant to search warrants. So we have a number of different
25 situations when we'll see more than two alcohol concentrations

1 determined.

2 Q. Okay. That, that's my point. You, you said in your
3 experience you have looked at various cases where you've
4 looked at three or four different ones.

5 A. That's correct.

6 Q. You looked at three or four different ones because
7 it's hard to make a determination just based on two points.
8 Isn't that why you did that?

9 A. No. I looked the number of points because as to the
10 number of points that were presented to me, those, those were
11 in the facts. In this case I have two alcohol concentrations
12 at two different, collected at two different time points,
13 that's what I have to use. If I have a case with three or a
14 case with four then I use all three or four.

15 Q. And you're saying that when you have two it's just
16 as accurate as having three or four points.

17 A. In my experience it has been.

18 Q. That's not what the literature says, though, is it?

19 A. That's correct.

20 Q. So your experience is different from the literature?

21 A. No, what I'm----- . What's put in the literature is,
22 I'm not saying you can't do it, but, you, it would, we'd love
23 to have more points. We'd love to have a dozen points but we
24 don't get that. Some individuals have tried to look at points
25 where they're just minutes apart and looking at, at results

1 that are just five or ten minutes apart. It gives a lot of
2 variation sometimes so, depending on the testing methods.

3 Q. Well, let me ask you this. I know you've testified
4 before and you've testified under oath at times that you've
5 relied on Allen W. Jones as an authoritative figure in alcohol
6 studies, have you not?

7 A. I have.

8 Q. And you've stated under oath before in court that
9 Allen W. Jones studies, you've used them and you believe them
10 to be authoritative, isn't that correct?

11 A. That's correct.

12 Q. Well, let me ask you this. Here's a quote from Mr.
13 Jones, "however, a double-blood sample is not even a reliable
14 way to estimate data" which that what [inaudible] called the
15 elimination rate. Isn't that correct?

16 A. That's correct.

17 Q. "A double-blood sample is not even a reliable way to
18 estimate the elimination rate because details about previous
19 drinking and the position of the blood alcohol curve, such as
20 phase of absorption, are unknown. Dispute some attempts to
21 justify blood samples, there is no scientific foundation for
22 the continued use of this strategy." That was written by A.
23 W. Jones and R. Eifland in journal. Do you recognize that?

24 A. I do.

25 Q. And do you disagree with that?

1 A. He said because you don't know the history and that
2 and I, I, I happen to know from visiting Dr. Jones at his lab
3 in Sweden and also talking to him at different conferences
4 that he, in fact, will do a retrograde from a single time
5 point. And so, he may have that in his paper, and he, also,
6 conditions it with saying, not knowing the exposure time. He
7 didn't say he can't do it. And I know, in fact, that he does
8 do it even from a single point.

9 Q. Well, here's another quote.

10 THE COURT: Let me ask you to slow down when
11 you're reading these quotes, if you will [inaudible].

12 Q. I'm sorry. I'll try to. "The practice of
13 calculating a person's elimination rate of ethanol from just
14 two blood samples taken at two time points about an hour apart
15 is not recommended." That was Allen W. Jones and that was
16 quoted in Dariat's Medicolegal Aspects of Alcohol. Are you
17 familiar with that Mr. Glover?

18 A. Yes, I am. I also have copies of two papers that
19 Dr. Jones did where he and another researcher looked at about
20 thirty-five hundred paired samples and they, they, they
21 published rates of elimination from these, like I said,
22 thirty-five hundred paired samples.

23 Q. Here's a third quote by Dr. Jones. "The calculation
24 of elimination rates based on just two measurements of BAC . .
25 ."--that's blood alcohol content, is it not?

1 A. Yes.

2 Q. "... and the time lapse between taking the samples
3 can yield highly valuable results." Isn't that true?

4 A. Highly valuable?

5 Q. Maybe I said valuable, highly variable results.
6 Isn't that true, Mr. Glover?

7 A. It could, depending on a number of different things,
8 if a person still has access to alcohol, when they were last
9 consuming alcohol, certainly.

10 Q. All right. So despite what you considered to be an
11 authoritative figure who you've actually flown across the
12 country, across the continent to meet with, you disagree with
13 these quotes that you shouldn't use two points to determine an
14 eliminate rate. Isn't that what you're saying?

15 A. No. I'm saying that he qualified it by saying if
16 you don't know certain things then you don't know what the
17 results are going to be.

18 Q. All right. But you, you can't testify to a
19 scientific certainty that you know that Ricky Norman's
20 elimination rate is exactly .0257, can you?

21 A. I can say that I calculated it based on the
22 information for that particular time and that was the rate
23 that I was able to determine with the information I was
24 provided.

25 Q. All right. Well, let me ask you this question then.

1 If his rate were lower than that, his blood alcohol content
2 would not reach the legal limit of .08 on the date of the
3 crash, would it?

4 A. At, on the day of the crash or at the time of the
5 crash?

6 Q. Time of the crash.

7 A. At the time of the crash if we used a slower rate he
8 would not have been .08.

9 Q. All right. So the only way you get .08 is to use
10 the exact rate you used.

11 A. That rate----

12 Q. Isn't that correct?

13 A. That rate gives, that rate gives a value that once
14 truncated is a .08.

15 Q. Now, the, there's also in the literature statements
16 about this whole retrograde mathematical extrapolation that
17 you're doing that it's not a certain science. Isn't, isn't
18 that true?

19 A. You're asking if the literature says it's not a
20 certain science?

21 Q. Yeah. Well, let me ask you this. You're aware that
22 Jones, Allen W. Jones, that you met with personally, has said
23 that extro-, retrograde extrapolation is a dubious science,
24 aren't you?

25 A. I believe he did say that. But, I also know that he

1 actually does retrogrades in practice.

2 Q. And he's dubious----. What's that mean?

3 MR. HORNER: Objection.

4 THE COURT: If he knows.

5 Q. Do you know what dubious means?

6 A. Dubious, questionable, probably.

7 Q. Uncertain?

8 A. Uncertain depending upon your information that you
9 have.

10 Q. All right. In fact, Jones has said this technique
11 called backtracking, BEC, or retrograde extrapolation is a
12 dubious practice. "Expert testimony on both of these issues
13 requires careful consideration of the absorption kinetics of
14 alcohol and the factors influencing this process." Isn't that
15 right?

16 A. That's what he said.

17 Q. And, in Mr. Norman's case, you didn't consider any
18 factors influencing the process, did you?

19 A. I considered a number of different things. I don't
20 believe he was given any alcohol after the crash. I'm not
21 aware of him being administered any alcohol. So he had no
22 access and was given no alcohol from the point of the crash
23 forward. I considered the fact that when we've looked at
24 individuals, drivers who've been killed in crashes, and we
25 look at their alcohol concentration and we looked at whether

1 their alcohol concentration would have been going up or going
2 down, we can determine that over ninety-five percent of the
3 people are actually going down. They do that by comparing
4 their, in the fatal driver, they'll look at the blood alcohol
5 concentration and a urine alcohol concentration and they
6 compare the two and, based on those ratios they can determine
7 whether a person's going up or down. Ninety-five percent of
8 the individuals, drivers killed in crashes where they looked
9 at this, were in the elimination phase, so, that would be----.

10 Q. Well, my point, what I asked you was, I read you
11 this quote from Jones and, and his quote was this is a dubious
12 practice and it went on to say that you should consider all
13 the factors surrounding it. The only factors you considered
14 is what the district attorney provided to you. That's what
15 you told me a minute ago. Isn't that correct? That was the
16 SBI results and the results from WFU, Wake Forest?

17 A. I, I used information they provided to me. I didn't
18 have to look at that whole collection of information to see if
19 it makes sense to me, if it works, what are the other things
20 to be concerned about. And that's where I said, the fact that
21 ninety-five percent of the drivers killed are post-peak. That
22 means they're in the elimination phase. It gives me
23 confidence and my number gives me confidence that he is in the
24 elimination phase at the time that these blood samples were
25 taken. The claim with respect to alcohol consumption ended

1 five hours before, not five, four hours--three to six--three
2 hours, I guess, before the crash. Looking at that claim he
3 would certainly have been in an elimination phase if, in fact,
4 the last consumption was at three o'clock. So I looked at all
5 of those. When I'm generating a report I consider all of
6 that.

7 Q. Let me rephrase the question. You don't know what
8 kind of alcohol was ingested, do you?

9 A. It would have been ethylalcohol.

10 Q. You don't know what kind of beer it was or anything,
11 do you?

12 A. No, I don't.

13 Q. You don't know how fast it was drunk as far as
14 whether it was one, two, three, right after the other, or
15 whether it was drunk over a period of time? You don't know
16 that, do you?

17 A. That's correct.

18 Q. You don't know what Mr. Norman had eaten or when he
19 had last ate. You didn't know that, did you?

20 A. That's correct.

21 Q. And, and all those are the factors that the
22 literature talks about what an expert needs to know to make
23 these calculations. Are they not?

24 A. Not, not absolutely. While there's some studies
25 that have suggested that the concentration of alcohol--in

1 other words, whether you're doing shots of, of high
2 concentration, if you're doing beer, or if it's carbonated or
3 not--that that may influence some absorption. It doesn't
4 influence the elimination and the studies have been done in
5 very small numbers to the point that it, it's not a
6 significant consideration. Eating food will speed up the rate
7 of elimination, not terribly. It doesn't double it. It
8 doesn't even increase it fifty percent. It's a small
9 percentage increase if you're metabolizing food at the same
10 time you're metabolizing alcohol. So those are things you may
11 want to know, you may consider but it's real hard to factor
12 any of those in.

13 Q. Well, my point is, you didn't factor any of them in
14 because you didn't know it.

15 A. We don't know.

16 Q. Okay. So you didn't factor any of them in?

17 A. Well, I, I, I don't know what he ate, what he drank,
18 when he drank. All of those things don't influence it
19 significantly.

20 Q. So, it's your opinion then that none of that
21 influences the opinion you gave and his blood alcohol would be
22 .08 at the time of the wreck?

23 A. When he ate, what he ate, what he drank

24 Q. Yes.

25 A. No.

1 Q. None of that would influence it?

2 A. It, it, it's not known when that happened.

3 Q. Are, are you familiar with the literature that rely
4 on that says you should give a range of results, to be
5 scientifically accurate you should give a range of results
6 that a person's blood alcohol may be at a given time?

7 A. You can give a range. There are times that people
8 will give ranges. In this case we are using calculated
9 individuals' rate and then I did not put a range in.

10 Q. So you're saying that, in your opinion, his exact
11 blood alcohol content was .08.

12 A. I'm saying that his calculated alcohol concentration
13 after the third digit was dropped was .08.

14 Q. Well that's what you have to do legally, is truncate
15 it, don't you.

16 A. Correct.

17 Q. So, you're saying that's his exact rate?

18 A. I'm saying that was his calculated concentration.
19 It wasn't measured at that time and so it is a calculated
20 value.

21 Q. Are you prepared to give me any ranges of what his
22 alcohol content could have been other than .08?

23 A. Well, if you want to go up to ultra-eliminators who
24 are .054 then we would add about a .11 to it, put him to about
25 a 14.

1 Q. Okay.

2 A. If you want to use .01 as absolute low end, that's
3 going to add .02 which would put it to .05. However, since
4 I've got his rate of elimination I don't use those ranges.

5 Q. Okay. So, even in the use of the conversation rate
6 of 1.15 to 1.18 to give a person in Mr. Norman's position the
7 benefit of the doubt, you wouldn't be able to give him the
8 benefit of the doubt here, that it could have been .05 or .06?
9 You're not giving him that benefit of the doubt, are you, sir?

10 A. No, I'm not. I'm using--and again, it goes back to
11 case law. If you have a person's rate, use the person's rate.

12 Q. What about the literature that tells you the science
13 behind it? You're not, you're ignoring that, aren't you? We
14 just went over that.

15 A. If, if, if, if I have a bathroom scale that says
16 someone weighs a certain amount and the literature says people
17 in that age range weight can vary from here to here I'm going
18 to use what's on the bathroom scale.

19 Q. All right. Well, the literature says that: "Dealing
20 with the uncertainty of this mathematical formula that you're
21 testifying to here in court that the expert witness must be
22 prepared to acknowledge the uncertainty inherent in
23 measurement results. All measurements possess uncertainty
24 that arises from both systematic and random sources.
25 Estimates of uncertainty become particularly important when

1 the results are near the critical prohibited levels." Have
2 you ever read that quote in the literature?

3 A. I don't know that I have.

4 Q. Do you agree with that?

5 A. I will agree that there, any measuring device has, I
6 would say, a degree of inaccuracy, but the measurements, every
7 measurement-----

8 Q. I'm sorry. I'm sorry. I didn't mean to interrupt
9 you.

10 A. Every measuring device----- . If we go to Lowe's and
11 get ten tape measures and measure a two-by-four we're liable
12 to get a range of measurements. So there is some error
13 associated with any measuring device.

14 Q. All right. So there is some error associated with
15 your retrograde extrapolation of Mr. Ricky Dean Norman, is
16 there not?

17 A. There-----

18 Q. Yes or not. Is there an error, sir? Are you able
19 to recognize an error in the calculations?

20 A. I don't have a calculated error in it. I have a
21 measured value for him. Error, as far as what the SBI value
22 was, errors as far as what the hospital value was, I don't
23 have a concern of either of those and don't have a way of
24 calculating their potential error.

25 Q. Well, will you acknowledge, or can you acknowledge

1 that there is uncertainty in your extrapolation that you've
2 testified to here today, sir?

3 A. Only in that you, if, if you, if either the numbers
4 or the times were not correct or the numbers were not correct
5 then your result would be different.

6 Q. Okay. So if his elimination rate was different the
7 results would be different?

8 A. Yes.

9 Q. If the conversion rate, if the conversion average
10 was different that could make that number different, isn't
11 that correct?

12 A. It could.

13 Q. All right. So there could be uncertainty, isn't
14 that correct?

15 A. Could be.

16 Q. And, of course, just as this quote said, "This
17 uncertainty's critical because your opinion is right at the
18 legal limit of .08." Isn't that correct?

19 A. I did the calculation. That's the number that came
20 up. Point o eight happens to be our per se limit in North
21 Carolina. It is not magic with respect to impairment. It's,
22 it's Black Letter law per se .08, but that's not tied to
23 impairment. That's a technical violation, .08. You can have
24 impairment way below .08.

25 THE COURT: Well, I want to object and

1 sustain as to whether that's a technical violation as to law.

2 Q. Well, you testified in response to Mr. Horner's
3 questions earlier that the per se rate in North Carolina is
4 .08.

5 A. The per se level is .08, yes.

6 Q. Okay. So, if you're .07 or any number below .08,
7 you're not deemed to be intoxicated under the per se rule of
8 DWI, are you?

9 A. Well, it's not intoxication, it's impairment and
10 that would not qualify if it was less than .08. That would
11 not qualify as violating the per se value.

12 Q. All right. Now, you also testified about the, the
13 results of the cocaine. You, you, in looking at the cocaine
14 you looked at the SBI report and, again, the Baptist Hospital
15 results, isn't that correct?

16 A. Yes. I think with the, that the only result on the
17 Baptist was, it was the alcohol. I don't have the, I don't
18 have the sheet that had their drug screen if they did one.

19 Q. All right. You, you looked at the SBI results that
20 showed the presence of those, of cocaine and cocaine
21 metabolites.

22 A. That's correct.

23 Q. Now, you talked about the half lives of these
24 substances, but you would agree with me, wouldn't you, Mr.
25 Glover, the, there's a difference in the time period to which

1 cocaine or the metabolites of cocaine would affect somebody's
2 behavior and the time periods that it could be detected in the
3 body, isn't there?

4 A. Well, yes, only in, when you use the word detect,
5 there are obviously thresholds of detection, but, yes.

6 Q. Well, I'll use your bathtub example. You talked,
7 you talked about alcohol in the bathtub when, you know, when
8 you put it in it starts going out. You know, when you, when
9 you ingest substances, such as cocaine, you know, the effects,
10 you start losing the effects after time, don't you?

11 A. You do.

12 Q. And then you could become unimpaired by cocaine
13 after a period of time, could you not?

14 A. You would become unimpaired at some point.

15 Q. And then you, down the road on that same timeframe,
16 you could still detect the cocaine in someone's system even
17 though they weren't impaired from it, could you not?

18 A. The, the further down the road you are the less
19 likely are in the shorter and the shorter the half-life the
20 less likely you are to detect the parent compound.

21 Q. But, so, that it might be in someone's system and be
22 detected but not be effecting them as far as the parent, isn't
23 that correct?

24 A. That's correct. Could be.

25 Q. You don't know the quantity of cocaine that was in

1 Mr. Norman's system, do you?

2 A. No, I do not.

3 Q. There's no way, from what you looked at, to
4 determine that, is there?

5 A. There's no way.

6 Q. And, you testified about the effects of cocaine and
7 the effects, in your opinion, on cocaine affecting somebody's
8 ability to drive, you testified about that.

9 A. Yes.

10 Q. But that's dependent on how cocaine affects
11 somebody's behavior, is it not?

12 A. It does.

13 Q. And, since you didn't look at any of the Hugh
14 Chatham Memorial Hospital records before you drew these
15 opinions in your report in April, you don't know how he was
16 acting at the hospital, do you?

17 A. I don't know how he was acting. I believe there
18 were indications of heart rate and blood pressure. That's
19 about all that I can recall.

20 Q. Just heart rate and blood pressure?

21 A. Yes.

22 Q. Well, you're aware, aren't you, that heart rate and
23 blood pressure increase can be caused by a number of different
24 things besides cocaine.

25 A. Yes.

1 Q. In fact, the trauma from a serious wreck could cause
2 it, could it not?

3 A. I don't know that it would cause an elevated and
4 persistent especially when medication has been given.

5 Q. All right. What were his vital signs by the EMS?

6 A. I don't recall those.

7 Q. You don't know what they were?

8 A. I don't.

9 Q. So you don't know if the EMS vital signs there at
10 the scene of the wreck were even elevated at all, do you?

11 A. I, I don't recall, did not see those.

12 Q. And that might be something important to consider in
13 determining whether his behavior was actually affected by
14 cocaine at all, wouldn't it?

15 A. The elevation is, is a part of, elevation blood
16 pressure and heart rate, is a part of what happens with
17 cocaine and cocaethylene for that part. So, I don't know what
18 their rates were when EMS checked him.

19 Q. And, since you said that the blood pressure and the
20 heart rate's important factor, it would help you to know what
21 that was there at the scene if the EMS took it there at the
22 scene, wouldn't it?

23 A. It would be more information, yes.

24 Q. All right. And if it, well, strike that. No more
25 questions.

1 THE COURT: Redirect?

2 MR. HORNER: Yes, sir.

3 **REDIRECT EXAMINATION BY MR. HORNER**

4 Q. First of all, Mr. Glover, you have before you
5 State's Exhibit Number 50 which is your report, is that
6 correct?

7 A. That's correct.

8 Q. Is that a true and accurate copy of your report as
9 you prepared it?

10 A. Yes, it is.

11 Q. The State would offer that into evidence.

12 THE COURT: All right. Any objection?

13 MR. VANNOY: No, sir.

14 THE COURT: Received without objection.

15 Q. And, you indicated, I believe----. Mr. Vannoy asked
16 you with regard to the blood draw at 8:03 that, that two hours
17 and twenty-three minutes was a relevant time.

18 A. Yes.

19 Q. Did he not?

20 A. Yes.

21 Q. Okay. So is the time of the accident is a relevant
22 time for him driving, is that correct?

23 A. Yes, it is.

24 Q. Okay. And while the----. What is per se? What
25 does per se mean?

1 A. Per se is in the example of DWI our per se statute
2 says if you're .08 or greater then something's triggered.
3 That means without any other evidence of anything else
4 violation of the per se limit is a violation of that
5 particular statute.

6 Q. Okay. And is that the only way that someone in
7 North Carolina could be founding guilty of driving while
8 impaired?

9 MR. VANNOY: Objection.

10 THE COURT: No. The objection's sustained.
11 This is a question about the law. All right. Next question.

12 Q. You could be impaired on things other than alcohol,
13 is that correct?

14 A. That's correct, you can.

15 Q. And be subject to an impairing substance other than
16 alcohol.

17 A. Yes, you can.

18 Q. And in addition to alcohol?

19 A. Yes, you can.

20 Q. Now, with regard to----. He asked you the question
21 about being fully absorbed. What does that mean?

22 A. That means that if you were to plot out an alcohol
23 concentration on an individual where we've given them a Bolis
24 dose, for example--Bolis dose is a great big drink mixed up.
25 Somebody drinks it right down in a couple of minutes and we

1 start monitoring their alcohol concentration. If we plotted
2 it out on a gram we would get a ideally a nice little straight
3 line that goes up to a peak and then a straight line that
4 comes down. Full absorption would be at the point that you're
5 now eliminating alcohol. So you've gone to the peak and
6 you've just come off the top of that peak. That's when you
7 would have full absorption.

8 Q. Okay. And, based on the information that the
9 defendant provided to Trooper Anderson and the information
10 that you were provided in preparation of this report did you,
11 in your opinion based on those facts, did you think that he
12 was fully absorbed?

13 A. If he stopped drinking at three o'clock then
14 certainly by 5:49 he would have been fully absorbed.

15 Q. Okay. Now, with regard to the elimination rate,
16 what----. Strike that. Before we get to the elimination
17 rate, with regard to the blood test that was done by the SBI
18 and the blood test that was done at Baptist Hospital, those
19 are actually rounded down, is that correct?

20 A. That's correct.

21 Q. And what's the purpose of that?

22 A. The purpose is with respect to the SBI value our
23 statute restricts us to two digits so we drop any third digit
24 that's existing. No rounding up, something covering up
25 dropping off a third digit.

1 Q. And that's actually to the defendant's benefit.

2 A. Yes.

3 Q. Is that correct?

4 A. That's correct.

5 Q. And built into your calculations were, did you also
6 round down in, in, in certain portions of your calculations
7 which were to his benefit?

8 A. Yes.

9 Q. Did anything that you did in your calculations round
10 up or do anything that was actually to his detriment?

11 A. No.

12 Q. And, with regard to the average value, I believe you
13 testified that, that you had used that in the past where you
14 were not able to actually calculate the actual value of, of
15 discharging the alcohol in your system. Is that correct?

16 A. That's correct.

17 Q. But, that, that you used actual elimination rates
18 when you have that information available.

19 A. I do.

20 Q. Okay. And that that's been fully accepted, is that
21 correct?

22 A. That's correct.

23 Q. Based on the information that you had, do you feel
24 like that you had enough information to do the retrograde
25 extrapolation?

1 A. Yes, I do.

2 Q. And, with regard to the cocaine ingestion, what
3 significance was it that the cocaine was ingested at the same
4 time as his alcohol use?

5 A. The----

6 MR. VANNOY: Objection, assumes a fact not in
7 evidence.

8 THE COURT: Sustained.

9 Q. What was----? You indicated that in order to get
10 that one metabolite that the alcohol consumption would have to
11 have been approximately at the same time as him ingesting the
12 cocaine.

13 MR. VANNOY: Objection, the form of question
14 leading.

15 THE COURT: Sustained.

16 Q. Based on the fact that he had cocaethylene in his
17 system, what significance is that?

18 A. It's significant in that cocaethylene is a
19 psychoactive substance. It is formed by the body in the liver
20 by what's called transisterification where cocaine in the
21 presence of ethanol is converted into cocaethylene. The
22 alcohol has to be in the person's system before the cocaine is
23 ingested in order to generate this. The alcohol actually
24 inhibits the normal pathway of breaking down cocaine, going
25 from cocaine to benzelecanene instead it goes from cocaine to

1 cocaethylene which is again a psychoactive substance. It's
2 formed with these two compounds. It has effects very similar
3 to cocaine but the duration is more in the range of six hours.

4 Q. No other questions.

5 THE COURT: Any re-cross?

6 **RE-CROSS-EXAMINATION BY MR. VANNOY**

7 Q. To create cocaethylene alcohol and cocaine just have
8 to be in the system at the same time. They don't have to be
9 taken at the same time, do they?

10 A. Alcohol has to be taken first, has to be in the
11 system. If they're taken at the same time you won't generate
12 cocaethylene or if you drink alcohol after you've done your
13 cocaine you won't generate it. According to the literature,
14 alcohol has to be in the system before the cocaine is ingested
15 in order to make cocaethylene.

16 Q. What, what literature is that?

17 A. The literature that I reviewed.

18 Q. Can you give me the name of that literature?

19 A. "The Effects of Concurrent Use of Alcohol and
20 Cocaine" by E. G. Pennings. That's in the 2002 edition of
21 *Addiction*, Volume 97.

22 Q. Are you saying then it, it's your opinion that
23 there's no way cocaethylene would show up in your system if
24 cocaine's in your system before alcohol?

25 A. That's what the literature is saying is that if you

1 do the cocaine first. It's, it's primarily because of this
2 breakdown. Alcohol inhibits the formation of benzoecalene.
3 So if the alcohol is there first then, then when cocaine is
4 ingested it's immediately diverted into being turned into
5 cocaethylene rather benzoecalene.

6 Q. Well, irrespective of the literature, do you know if
7 that's true or not?

8 A. I have to base what I'm saying on the literature
9 because I have not dosed individuals with cocaine and alcohol
10 and measured it.

11 Q. All right.

12 A. There are three other papers if you wanted those.

13 Q. Okay. What are those?

14 A. "Pharmacology of Cocaethylene in Humans following
15 Cocaine and Ethanol Administration" by Deborah Harris. It's
16 in *Drug and Alcohol Dependence*, 2003.

17 Q. All right.

18 A. "Effects of Concurrent Use----" That wasn't
19 [inaudible]. "Cocaethylene Toxicity" by Paul Andrews. That's
20 *Journal of Addictive Diseases*. And "Cocaethylene
21 Pharmacologic Activity and Clinical Significance" by Peter
22 Jatlaw from *Therapeutic Drug Monitoring*. Those are the four
23 primary sources I was using.

24 Q. Now, Mr. Horner asked you if the, using two points
25 to determine someone's actual elimination rate was fully

1 accepted in the literature and you said it was. That's
2 actually not true, is it?

3 A. I, the papers, some of the people, Jones and those
4 would say, using two points and then he put conditions on
5 that: when you don't know this, don't know this, don't know
6 that, don't know certain things then it's an issue.

7 Q. So it's not fully accepted, is it?

8 A. Depending on the parameters that you have.

9 Q. Okay. The, Mr. Horner asked you about the rounding
10 of numbers and did you round anything to the detriment of the
11 defendant. But you, you did in your calculations come up with
12 an elimination rate that gave you the conclusion that he had a
13 blood alcohol content of .08, didn't you?

14 A. .08, plus a third digit which was dropped.

15 Q. Okay. So you did come up with the exact elimination
16 rate that you needed to get the result of per se impairment,
17 correct?

18 A. Well, I did, but an elimination rate is not a per se
19 violation. An elimination rate is a tool that I'm using in
20 calculating his alcohol concentration.

21 Q. But if you gave us a range of the numbers and that
22 range included numbers lower than .08, you'd be giving Mr.
23 Norman the benefit there, wouldn't you? Isn't that correct?

24 A. If there was a range to give. But when I have two
25 time points, two concentrations, you do the math you get one

1 number.

2 Q. All right, sir. No more questions.

3 MR. HORNER: I would have two questions.

4 THE COURT: All right.

5 **REDIRECT EXAMINATION BY MR. HORNER**

6 Q. I believe, if I'm not mistaken, that I asked the
7 question to you, Mr. Glover--perhaps I'm wrong. Is that
8 process fully accepted by the courts?

9 A. Yes, you did.

10 Q. And, and what was your answer?

11 A. Yes, it is.

12 Q. Okay. And, with regard to the .08, you didn't start
13 at a .08 trying to and work backwards. In other words, you
14 didn't try to start the defendant at, at a .08 which is his
15 legal limit and then work backwards to figure out what the
16 actual elimination rate would need to be for him to get to
17 .08, did you?

18 A. No, I did not.

19 Q. In other words, and, and what you actually did was
20 you took the two points that you had, you did the math and it
21 just turned out to be a .08.

22 A. That's correct.

23 Q. No other questions.

24 **RECROSS-EXAMINATION BY MR. VANNOY**

25 Q. Just a mathematical coincidence, is that what it

1 was?

2 A. I would not call it a mathematical coincidence. I
3 would say it was the product of the numbers that were there.

4 Q. No more questions.

5 MR. HORNER: No other questions.

6 THE COURT: That's okay. I was just
7 thinking. You may step down.

8 [END OF TRANSCRIPT]