

1 (IN THE ABSENCE OF THE JURY.)

2 THE COURT: Come around, sir.

3 PAUL L. GLOVER

4 being first duly sworn, was examined and testified as  
5 follows:

6 DIRECT EXAMINATION

7 BY MR. MARTIN:

8 Q Sir, will you state your name for the record?

9 A My name is Paul L. Glover.

10 Q Mr. Glover, what is your current position,  
11 sir?

12 A I am the Research Scientist and the Assistant  
13 Branch Head for the Forensic Tests for Alcohol, which is  
14 part of the Department of Health and Human Services,  
15 State of North Carolina.

16 Q Sir, what is your education that you have  
17 achieved?

18 A I have a B.S. in Biology that I got from  
19 Florida State University in 1974, and a Master's in  
20 Biology that I got from Florida State University in  
21 1978.

22 Q And what current positions have you held,  
23 sir?

24 A I was a Research Scientist at Oak Ridge  
25 National Laboratory for seven years. I was a Research

1 Scientist at the National Institute of Environmental  
2 Health Sciences for five years, a Research Scientist at  
3 the Burroughs Wellcome Pharmaceutical Company for seven  
4 years.

5 Q Describe briefly to the Judge what the  
6 subject matter of your specialty is.

7 A I deal with scientific issues related to  
8 breath and blood testing and issues related to the  
9 Intoxilyzer 5000.

10 Q And, if you will, will you tell the Judge  
11 what specialized degrees and training you have received?

12 A I'm certified as a chemical analyst in the  
13 State of North Carolina. I'm also certified to do  
14 preventive maintenance on the Intoxilyzer 5000. I'm  
15 certified to do preventive maintenance on the  
16 Alkasensor. I have attended the University of Indiana  
17 School for Supervisors in Highway Alcohol Programs.

18 Q Sir, what are the duties and functions of  
19 your current position?

20 A I have two duties. I'm the Research  
21 Scientist for the branch. I've been that for  
22 two-and-a-half years. I'm responsible for reviewing  
23 scientific literature that relates to blood and breath  
24 testing. We have a staff of 25 people, 14 of those are  
25 field staff. I'm responsible for educating them to any

1 changes or issues that come up relating to breath  
2 testing. I'm responsible for evaluating breath testing  
3 equipment.

4 I am responsible for doing the quality  
5 assurance checks on the simulator stock that's used for  
6 the simulators on the Intoxilyzers. Also, as Assistant  
7 Branch Head, I'm responsible for supervising the 14  
8 staff people, evaluating them, updating and writing  
9 portions of the operators' manual for the Intoxilyzer  
10 5000.

11 I serve as a resource for prosecutors,  
12 legislative bodies. I think that's all.

13 Q What is the length of the -- What is the  
14 length of time at that current position?

15 A I started in September of 1997, so it's  
16 two-and-a-half years now.

17 Q What, if any, publications do you have in  
18 those fields, and what are those titles?

19 A Well, I'm a member of the International  
20 Association for Chemical Testing, and at their annual  
21 meeting last year I did a poster session and a seminar  
22 on -- one dealing with controlled drinking exercises.  
23 The other one was dealing with interfering substances in  
24 breath testing. And a session on using -- or managing  
25 reference materials that relate to the scientific

1 publications that we use.

2 I'm also a member of the International Counsel  
3 on Alcohol, Drugs and Traffic Safety. And I have a  
4 presentation that I will be giving in Sweeden in four  
5 weeks for research that I have done in the lab since  
6 I've been there.

7 Q Do you have any other membership in any other  
8 professional societies besides the International  
9 Association for Chemical Testing?

10 A That and the International Counsel on  
11 Alcohol, Drugs and Traffic Safety.

12 Q How many times have you testified in your  
13 field?

14 A I have testified and qualified as an expert  
15 about 28 times in North Carolina.

16 Q In the past have you ever assisted in  
17 prosecutions not being an expert, or not qualified as an  
18 expert?

19 A Yes, in total I have helped with about 60  
20 cases since I've been in the branch.

21 MR. MARTIN: Pursuant to the Rules of  
22 Evidence I would be tendering Mr. Glover as a qualified  
23 expert in the field of alcohol breath testing, the  
24 Intoxilyzer 5000, the Alkasensor, blood alcohol  
25 physiology, pharmacology and related research.

1 MR. MORGAN: May I examine?

2 THE COURT: Well, certainly, Mr. Morgan,  
3 you may ask him anything you want to. This is Voir  
4 Dire.

5 MR. MORGAN: Thank you, Your Honor.

6 QUESTIONS BY MR. MORGAN:

7 Q Do you have your vitae handy?

8 THE COURT: His what?

9 MR. MORGAN: Curriculum vitae.

10 Q Your résumé?

11 A I have a copy that I have for qualifying as  
12 an expert witness.

13 Q Is that what the State was using to qualify  
14 you?

15 A Yes.

16 Q Isn't it true that among the positions that  
17 you neglected to mention when you were being qualified,  
18 that you were a reserve police officer with the Durham  
19 Police Department in Durham, North Carolina from  
20 February of 1986 until January of 1997, resuming that  
21 position again in September of '97 until the present?  
22 So you are currently a reserve police officer with the  
23 Durham Police Department in Durham, North Carolina, is  
24 that correct?

25 A Yes. I am also a reserve officer at the

1 University of North Carolina in Chapel Hill, and I've  
2 been one there since 1992.

3 Q And you were a full-time police officer with  
4 the Durham Police Department from January of '97 through  
5 September of '97?

6 A Correct.

7 Q Now, when you presented this seminar in  
8 connection with the controlled drinking session and the  
9 interfering substances, did you witness or use any  
10 particular interfering substances?

11 A In that particular presentation, yes.

12 Q Are you familiar with the literature that  
13 someone who consumes several pieces of Wonder bread can  
14 register as high as a .02 on the Intoxilyzer 5000,  
15 having consumed no previous alcohol?

16 A I am not familiar with that particular  
17 publication. I don't know who wrote it. As far as the  
18 significance of that, people are not allowed to eat,  
19 drink, smoke during the fifteen minutes prior to their  
20 being tested. Whenever that's followed, that doesn't  
21 occur.

22 There are some even prepackaged like Honey  
23 Buns, if you eat one and blow into it right then,  
24 because of the yeasts that are in it, you can get a  
25 result, but that's the reason for the fifteen minute

1 deprivation period.

2 Q Did you use any diabetics in your study about  
3 the effect of interfering substances?

4 A No, I didn't.

5 Q Did you use any dieters who were suffering  
6 from a process known as ketosis?

7 A No, I didn't.

8 Q Did you use anybody that ingested chemicals  
9 commonly found in paint?

10 A I used one of the most widely used organic  
11 compounds in the world, which is taurine, which is the  
12 most popular defense issue to raise. That was the  
13 interfering substance that I used in that particular  
14 study, and it's also the subject of the presentation  
15 that I'm going to do in Sweden.

16 Q While you are mentioning that, isn't it true  
17 that your basic job when you are called as a potential  
18 expert by the State is an attempt to debunk a defense  
19 expert in this field? That's what you do, isn't it?

20 A My job is to testify about our procedures,  
21 the fact -- whether the person was certified as an  
22 analyst. I review the documentation that came with that  
23 particular case to see if there are any problems with  
24 it. I do listen to defense experts to evaluate, I will  
25 say, the quality of their testimony.

1 Q You actually work for the State of North  
2 Carolina, don't you?

3 A Yes, I do.

4 Q And you've been paid by the State of North  
5 Carolina for the last two days to be here, haven't you?

6 A Yes, I have.

7 Q Have you figured up how much that is?

8 THE COURT: Well, you're getting far  
9 afield --

10 MR. MORGAN I'm --

11 THE COURT: Hold it. You're getting far  
12 afield from the Voir Dire concerning his expertise.  
13 Objection sustained.

14 MR. MORGAN: I'm sorry. Let me wrap this  
15 up, then.

16 Q You have participated in the preparation or  
17 trial of approximately 60 cases, did I understand you to  
18 say?

19 THE COURT: Objection sustained. See,  
20 that's just attacking his credibility.

21 MR. MORGAN: Well, for the purpose of  
22 determining whether he's an expert, I think I'm entitled  
23 to find out why, for the Court's benefit, he wasn't  
24 declared an expert in those other cases.

25 THE COURT: On that we disagree.

1                   MR. MORGAN: All right. Thank you,  
2 Judge. I'm done.

3                   THE COURT: I find him to be an expert in  
4 the field of alcoholic testing, alcoholic breath  
5 testing; that he has B.S. in Biology from Florida State  
6 and a Master's Degree from Florida State. As of 1978 he  
7 has published and conducted seminars and done research  
8 in these fields. He's presently a certified chemical  
9 analyst. I'm not persuaded on the pharmacology, sir,  
10 from what little I know about pharmacology. Biology by  
11 itself and these other ideas do not support a finding  
12 that he is an expert pharmacologist. Ask your next  
13 question.

14                   MR. MARTIN:

15           Q        Mr. Glover, what, if any, problems do you  
16 find with the extrapolation of Mr. Stafford concerning  
17 the Defendant's maximum alcohol level on April 26th,  
18 1999?

19           A        In our class that we put potential chemical  
20 analysts through, it's a week-long class, we teach the  
21 pharmacology of alcohol, and we using the Widmark  
22 Formula, as Dr. Stafford used. However, his  
23 calculations ignored the fact that as soon as a person  
24 starts to drink alcohol, they start eliminating alcohol.

25                   An analogy we frequently use is that of a

1 bathtub with the drain open. As soon as you start  
2 pouring some water in, water is going to be running out.  
3 If you pour it in faster, it will start to fill up, but  
4 it's still draining. With alcohol, as soon as you start  
5 to drink, as soon as it circulating in your body, you  
6 are eliminating it.

7           His calculations did not take into  
8 consideration the elimination of alcohol that was going  
9 on in the Defendant during the time that he was  
10 drinking. He was drinking for about three hours, and  
11 even using his elimination rate, which he used .01,  
12 which is at the extreme conservative end, in that three  
13 hours the Defendant would have eliminated .03, even  
14 using that value. The highest that he could of gone,  
15 according to Mr. Stafford, or Dr. Stafford, was I  
16 believe an .04 and .05. Well, he could never have  
17 reached that, because he's been eliminating it at the  
18 same time he's drinking it. He could not have gone even  
19 that high in that time.

20       Q     What, if any, persons have an elimination  
21 rate of .01?

22       A     That's typically found in chronic abusers who  
23 have liver damage. 99 percent, just about, of the  
24 alcohol elimination or metabolism occurs in the liver,  
25 so the blood flows through the liver. Alcohol

1 dehydrogenates, is present in the liver. It's going to  
2 break the alcohol down. People with cirrotic livers  
3 have reduced blood flow through the liver. They have  
4 reduced liver functional liver area, and so for that  
5 reason they cannot eliminate alcohol at a rate that's  
6 closer to normal.

7 Q What if any other problems do you see with  
8 the extrapolation made by Dr. Stafford?

9 A I believe that that's one of the main ones  
10 right there.

11 Q Now, in this particular case concerning  
12 Mr. Redmon, have you examined the preventive maintenance  
13 logs in this matter?

14 A Yes, I have.

15 Q When did you do that?

16 A We have a duplicate set of the preventive  
17 maintenance logs in our office in Raleigh, so I made a  
18 copy there. That's when I inspected them.

19 Q Based upon your examination of those  
20 preventive maintenance logs and this particular  
21 Intoxilyzer, that being No. 66003579, had the preventive  
22 maintenance on it been properly done?

23 A It was in compliance, yes. I also checked  
24 the period prior to that and the period after that, and  
25 it was in compliance with respect to preventive

1 maintenance for at least a six or eight month period. I  
2 don't look beyond that.

3 Q What, if any, investigation did you do, sir,  
4 on determining whether Asheville Police Department  
5 officer Joe Johnson was certified to operate this  
6 particular instrument?

7 A I'm able to pull up every chem analyst's  
8 history on my computer at work, and I do that routinely  
9 before a trial to see if, in fact, an analyst was  
10 certified before they ran a test, and he was certified  
11 at that time.

12 MR. MORGAN: Just for the record, we have  
13 never objected to that.

14 THE COURT: Right.

15 MR. MARTIN: If I may approach the bench.

16 THE COURT: Yes, sir.

17 Q If I can show you, sir, what has previously  
18 been entered as State's Exhibit No. 1, which is the  
19 State's test ticket in this particular case, upon  
20 examination of that test ticket can you determine  
21 whether this test was properly administered and the  
22 result was proper?

23 A Yes, I can.

24 Q And how are you able to determine that, sir?

25 A Well, part of it is based on the Officer

1 Johnson's testimony that he read the rights, and he's  
2 indicated the time that he read the rights to the  
3 Defendant, and this has the time that the breath test  
4 was done, so that end of the procedure was done  
5 properly.

6           The fact that we were able to generate a  
7 complete test record ticket shows that the instrument  
8 was working properly. There are a number of safeguards  
9 that the instrument has built in, and if any of those  
10 safeguards had been basically violated, we would not  
11 have gotten a completed test record ticket out.

12           Q       What are those safeguards, sir?

13           A       I've got a poster that I typically use to go  
14 through the safeguards.

15                   MR. MARTIN: Your Honor, would you allow  
16 him to do that in front of the jury?

17                   THE COURT: Well, I don't know about in  
18 front of the jury. This is Voir Dire in the absence of  
19 the jury. You can offer whatever you want to.

20                   MR. MARTIN: I'm showing Defense Counsel  
21 a copy of what that particular --

22                   MR. MORGAN: Before we go too far, it is  
23 my belief that at least part of the last testimony of  
24 this witness doesn't conform to Your Honor's findings in  
25 that he strayed into the field of pathology and away

1 from the field of alcohol breath testing.

2 MR. MARTIN:

3 Q Sir, I'm showing you for the purpose of this  
4 Voir Dire hearing what I've marked as State's Exhibit  
5 No. 4. Is this a poster that was prepared by you?

6 A Yes, it is.

7 Q Would it assist you in illustrating to the  
8 Court the different safeguards that the Intoxilyzer 5000  
9 has in order to prevent an erroneous test?

10 A Yes, it would.

11 MR. MARTIN: Your Honor, I would ask to  
12 have that admitted for this particular reason.

13 Q If you will, going through using your  
14 exhibit, and I'll hold it for you, tell the Judge about  
15 the particular safeguards that would prevent an  
16 erroneous test.

17 A When the operator comes in, the instrument is  
18 turned off. They turn it on. It will display on the  
19 screen that it's not ready. It has a certain length of  
20 time that it will stay in a condition of not ready.  
21 During that time the different -- the chamber is heating  
22 up, the breath tube is heating up.

23 Once it's been in a not ready status for a  
24 prescribed length of time, it will do a program check,  
25 memory check, temperature check, filter check and a

1 printer check. When it does that diagnostic, if it  
2 finds a problem, it will not come out of not ready. If  
3 it checks out all right, it comes out of not ready.

4           At the time of the breath test, it will first  
5 pull a sample of air in from the room to determine if  
6 there's any alcohol in the room. If there is alcohol in  
7 the room, it will indicate ambient fail. It will reject  
8 the test record ticket. The chem analyst will have to  
9 ventilate the room and start all over.

10           When it is time for the person to blow, if  
11 they blow too soon, it will print on there, "Invalid  
12 Test." It will reject the ticket, and they will have to  
13 start all over again.

14           If the subject has mouth alcohol when they  
15 blow, it will indicate that there's mouth alcohol on the  
16 ticket. It rejects the ticket. The chem analyst has to  
17 start all over.

18           If the person exceeds .65, it will  
19 indicate that it's exceeded the range of the instrument,  
20 and that is also to alert the chem analyst that if this  
21 is a real result, there is a problem with this Defendant  
22 because they are in a life threatening concentration.

23           When it's going through its sequence once  
24 it checks ambient air, it does a calibration  
25 verification. In that it takes a known sample from a

1 simulator and analyzes it. That process does not  
2 calibrate the instrument. It merely verifies that the  
3 instrument is properly calibrated. If it is not an .07  
4 or .08, it will indicate that it is out of tolerance.  
5 The instrument is shut down. No test can be run. It  
6 has an antenna built into the heated breath tube that's  
7 there to detect radio frequencies. If there is  
8 sufficient radio frequency being transmitted, like from  
9 an officer's portable radio in proximity to the unit, it  
10 will reject the test ticket, print, "RFI Detected," and  
11 the chem analyst will have to start all over.

12           Finally, if it detects acetone on the  
13 subject's breath in sufficient quantities, it will  
14 complete a ticket, but it will indicate at the bottom of  
15 the ticket that it has subtracted out acetone, and by  
16 that it prints, "Interference Subtract." That should  
17 alert the chem analyst that this person may be diabetic  
18 and going into keto acidosis. It's a medical situation  
19 that the chem analyst needs to be aware of.

20           Finally, it requires that the person blow  
21 twice and that the results be within .02 to print out a  
22 completed ticket.

23           By virtue of the fact that we have a completed  
24 ticket with the reported alcohol concentration, it shows  
25 that none of these situations existed during that test;

1 and since everything was working, the completed ticket  
2 showed -- the completed ticket shows that everything  
3 was working.

4 Q If I may approach you again, Mr. Glover, I'll  
5 show you what I've marked as State Exhibit No. 5. Can  
6 you identify that for the Court?

7 A It's a picture of the Intoxilyzer 5000 and a  
8 simulator. This happens to be the unit that's in my  
9 laboratory. However, except for the serial number, it  
10 is identical to all 252 units that are in use in North  
11 Carolina.

12 Q And all of these safeguards that are in this  
13 instrument, where would they appear so that an officer  
14 would know --

15 A All of that information is displayed on the  
16 screen on the Intoxilyzer. The officer turns it on with  
17 the red button. When he's ready to do a test, he  
18 pushes, "Start Test." He's prompted through the screen  
19 to enter in the identifying information about the  
20 Defendant, the charging officer, the chemical analyst.  
21 Once he's entered that information, it indicates he's  
22 ready to start a test. He will push, "Yes," a "Y" on  
23 the keyboard, and it's an automatic process. The  
24 officer can't influence anything that happens from that  
25 point forward.

1           Q       Is there anywhere in this instrument that the  
2 officer puts in the weight of the Defendant, the amount  
3 of alcohol that the Defendant drank, or the height of  
4 the Defendant?

5           A       None of that. There is no place to enter  
6 that. The instrument is going to measure the breath  
7 alcohol of the subject that's being tested, and their  
8 weight, their gender, how much they had to drink, while  
9 those would influence the result, those are not factors  
10 that the Intoxilyzer needs to know.

11                   MR. MARTIN: Your Honor, if I could just  
12 have one second.

13                   THE COURT: Sure.

14           Q       Just for clarification, Mr. Glover, we've  
15 already been over what the interferences are to the  
16 Intoxilyzer that are possible and how it deals with  
17 those?

18           A       Well, the most likely one to encounter is  
19 acetone, and that would be produced by a diabetic going  
20 into keto acidosis and people on extreme diets.

21                   The other interferences that have been  
22 suggested are not chemicals that are found on the breath  
23 of conscious adults who are driving cars. In order for  
24 something to be significant, it has to be in a  
25 concentration that would be significant on the

1 instrument. The concentrations that are found on the  
2 breath like taurine are in the range of -- in the range  
3 of one to two parts per million, so it's not even one  
4 percent at that point, and the instrument won't detect  
5 it, so they aren't true problems.

6 MR. MARTIN: Your Honor, that would be  
7 the showing for the State.

8 THE COURT: Do you want to ask anything,  
9 Mr. Morgan?

10 MR. MORGAN: We are still on his  
11 qualifications, is that right, or what he's allowed to  
12 testify about?

13 THE COURT: No, I have already found him  
14 to be an expert in the field of alcoholic breath  
15 testing. You are to point out now why his testimony  
16 should not be admissible.

17 MR. MORGAN: Yes, thank you.

18 THE COURT: Yes, sir.

19 MR. MORGAN:

20 Q Mr. Glover, basically what you're saying is,  
21 you think the machine was working properly because the  
22 machine told you it was working properly, is that right?

23 A The instrument generated a completed test  
24 record ticket.

25 Q From what you have assumed, that the machine

1 was working properly?

2           A       I have never been able to get a completed  
3 test record ticket out of an instrument when it's had  
4 any of the failures that are listed there.

5           Q       Did you review the data that this particular  
6 Intoxilyzer 5000 machine is capable of downloading  
7 concerning the problems it could have during that period  
8 of time that's in question?

9           A       The data was not being downloaded at that  
10 time.

11          Q       But the data was being stored?

12          A       Well, it has a memory, but it also has a  
13 limited memory, and it gets to the point that it pushes  
14 the oldest tests out. You are talking about a test that  
15 was done a year ago. On this test site, I don't know  
16 what the number of tests that are done, but I know that  
17 there were something like 80 or 90 in the p.m. period  
18 prior to the Defendant's test, around 80 during that  
19 p.m. period that covered him, and 87 for the second one.  
20 So since that time, there's no telling, three or four  
21 hundred tests, very easily, could have been done on it,  
22 so that data would have been pushed out a long time ago.

23          Q       But you're just guessing that; you don't know  
24 that?

25          A       Do I know that it will only hold a certain

1 number of tests? That's not a guess. That's something  
2 that I know for a fact.

3 Q No, sir, listen to my question very  
4 carefully, if you would, Mr. Glover. You don't know  
5 whether the data concerning this particular test and the  
6 ones right before it and right after it are contained in  
7 that Intoxilyzer 5000 software stored and not purged, do  
8 you?

9 A On my experience --

10 Q Do you know?

11 A -- and my training, there's no way it could  
12 be in there.

13 Q So you know that, then?

14 A I know that it has a limited memory. I know  
15 that it pushes out old data.

16 Q Yes, sir, but you don't know how many tests  
17 were done in the intervening time; therefore, you don't  
18 know whether the test results are still in that data or  
19 not, do you? You have a strong belief, but you don't  
20 know, do you?

21 A I know that it's not there now. I can assure  
22 you of that.

23 Q So you printed that out and confirmed that?

24 A No, I told you, we don't download that.

25 Q But you're capable of downloading it, aren't

1 you?

2           A       We are capable of downloading it. We started  
3 a connectivity program, if you will, in the State back  
4 in late January, where we are connecting all of the  
5 Intoxilyzers so that we can download certain data in  
6 Raleigh from them. The chips were changed in the units  
7 here. I can't tell you the exact date. I have it  
8 written in my notebook at work. I do know that they  
9 were changed. I believe that it was in February. And I  
10 do know that anything that was in them prior to that  
11 time is gone.

12           Q       Gone because it was intentionally deleted, or  
13 just by attrition it was deleted?

14           A       I know that anything that was in there at the  
15 time the chips were gone have intentionally been  
16 deleted, because the formatting was not compatible with  
17 the new programming.

18           Q       So the evidence that you could have reviewed  
19 before it was deleted to confirm or dispel what you  
20 learned in the preventive maintenance records is no  
21 longer available, is that right?

22           A       I'm not sure of your question. Let me -- I  
23 have reviewed the simulator log. That has tests that  
24 were done prior to the Defendant's test and after the  
25 Defendant's test. The instrument was not shut down for

1 any reason during that time.

2 Now, a number of these safeguards are simply  
3 that, they are safeguards. They are not failures on the  
4 instrument's part. If an officer comes in there, keys  
5 his radio, generates radio frequency that the instrument  
6 detects, that is not a failure on the instrument.

7 If you have a low fuel light in your car that  
8 comes on when you get low on fuel, that is not a failure  
9 on your vehicle's part. You don't take it to the garage  
10 and get it fixed. It is a warning.

11 Q I don't mean to argue with you --

12 THE COURT: Just a minute, Mr. Morgan.  
13 This is in the area of Cross-Examination, weight and  
14 credibility to be given. That's not my problem.

15 MR. MORGAN: I understand that.

16 THE COURT: Okay. My problem is in the  
17 area of whether or not the man should be allowed to  
18 testify an opinion as to whether or not this officer  
19 followed the statutory requirements and rules  
20 promulgated by the Department of Health and Human  
21 Resources.

22 MR. MORGAN: Oh, we would be glad to  
23 stipulate that the officer did that, if that's the only  
24 issue.

25 THE COURT: Well, I don't know -- I

1 don't know what you gentlemen are doing, but the  
2 stipulations are not my job.

3 MR. MORGAN: Yes, sir, I'll be happy to  
4 do that. We do not contest that it was done according  
5 to procedures.

6 THE COURT: Well, with respect to the  
7 poster, I don't believe that would be competent  
8 evidence, Mr. Martin. You can use that in your  
9 arguments to the jury, provided that you cover it for  
10 this witness.

11 MR. MARTIN: So I can't use it with him?

12 THE COURT: You can use it with him, but  
13 just don't show it to the jury. If he needs to prompt  
14 himself as to what to testify, well, you can use it. I  
15 don't want it displayed to the jury at this stage. You  
16 can argue it, if you support everything that's on that  
17 poster with his testimony.

18 MR. MARTIN: Yes, sir.

19 THE COURT: Okay. Beyond having this  
20 witness, Mr. Martin, testify that in his expert opinion  
21 Officer Johnson followed the rules promulgated by the  
22 Department of Health and Human Resources and the  
23 statutory provisions of G.S. 16.2, I believe it is, what  
24 other expert testimony do you want him to give, what  
25 opinion?

1                   MR. MARTIN: Just that upon examination  
2 of the test ticket, that this particular test was done,  
3 that he could look at that test ticket and tell that  
4 this particular test was done properly, and that the  
5 results of it are, in fact, what they are; and how he is  
6 able to determine that so the jury can understand that.

7                   THE COURT: I won't let him testify that  
8 the results are proper. That's a matter of the  
9 credibility of the machine and the testimony, and for  
10 the jury to determine. I will let him testify about  
11 what the officer did and whether or not it's in  
12 compliance with the rules; and that from the test  
13 record, what that indicates to him, with the condition  
14 of the machine at the time of the test. With respect to  
15 telling the jury that in his opinion, the results were  
16 proper, that would not be a proper question.

17                   Yes, sir.

18                   THE WITNESS: So I can testify that in my  
19 opinion, it was working properly. You're just saying I  
20 can't testify to the results.

21                   THE COURT: The results, right.

22                   MR. MARTIN: Other than that, just his  
23 evaluation of the extrapolation made by Dr. Stafford.

24                   MR. MORGAN: That was in an area of  
25 pathology that Your Honor didn't qualify him as an

1 expert in.

2 THE COURT: Well, I don't know that it  
3 takes a rocket scientist to tell us that if a man has a  
4 damaged liver, he won't be able to take care of alcohol  
5 as well as anybody else. That's all he's testifying  
6 about.

7 MR. MORGAN: Yes, sir. I'm simply  
8 talking about the extrapolation itself. As an alcohol  
9 breath testing expert, he knows about the machine, but  
10 not the pathology behind it.

11 THE COURT: I believe that comes within  
12 the wing span of what I've said he's an expert in.  
13 Overruled.

14 MR. MORGAN: Can I ask a quick question?

15 THE COURT: Yes, sir.

16 BY MR. MORGAN:

17 Q How long was the warranty on this particular  
18 machine?

19 A This machine comes with a one-year warranty  
20 against defects and workmanship, or the hardware itself.

21 Q What model processor does it use?

22 A I don't know what the processor is.

23 MR. MORGAN: Thank you, Judge. Those are  
24 my questions.

25 (IN THE PRESENCE OF THE JURY.)

1                   THE COURT: Stand please, Mr. Witness,  
2 put your left hand on the Bible, raise your right and  
3 face the jury.

4                   PAUL L. GLOVER  
5 being first duly sworn, was examined and testified as  
6 follows:

7                   DIRECT EXAMINATION

8 BY MR. MARTIN:

9           Q       Sir, would you state your name for the jury,  
10 please?

11          A       My name is Paul L. Glover.

12          Q       What is your occupation, sir?

13          A       I'm the Research Scientist and the Assistant  
14 Branch Head for the Forensic Test for Alcohol Branch,  
15 which is a part of the Department of Health and Human  
16 Services, State of North Carolina.

17          Q       Is that your place of employment?

18          A       Yes, it is.

19          Q       And describe briefly to the jury, sir, what  
20 -- what the specialty of your subject matter is.

21          A       I have been the branch -- not the branch  
22 head. I've been the Research Scientist for the branch  
23 for two-and-a-half years, and I am responsible for  
24 reviewing scientific literature that's related to  
25 alcohol testing, blood and breath alcohol testing.

1           Q       And if you will, sir, what -- tell the jury  
2 what academic degrees you hold and where they are from  
3 and when you got them.

4           A       I have a B.S. in Biology from Florida State  
5 University that I got in 1974, and a Master's Degree in  
6 Biology that I got from Florida State University in  
7 1978.

8           Q       Tell the jury what specialized degrees and  
9 training that you have.

10          A       I'm certified is a chemical analyst to run  
11 the Intoxilyzer in the State of North Carolina. I'm  
12 also certified to do preventive maintenance on the  
13 Intoxilyzer. I'm certified to do maintenance on  
14 Alkasensors, and I have attended a course in -- at  
15 Indiana University that's a course for highway  
16 supervisors, or supervisors in highway safety programs  
17 related to alcohol testing.

18          Q       Tell the jury what, if any, positions you've  
19 held since the completion of your formal education and  
20 the length of each time in each of those position.

21          A       I was a Research Scientist at Oak Ridge  
22 National Laboratory, Oak Ridge, Tennessee for seven  
23 years; a Research Scientist at the National Institutes  
24 of Environmental Health Sciences for five years; a  
25 Research Scientist at Burroughs Wellcome Pharmaceutical

1 for seven years.

2 Q Would you tell the jury what the duties and  
3 functions are of your current position?

4 A As the Research Scientist for the branch, I  
5 have to review scientific literature that's published on  
6 a regular basis, evaluating papers for their -- how  
7 they may impact on our program, if there are studies  
8 that are done that impact on what we need to train the  
9 chemical analysts when they are getting certified to be  
10 chemical analysts.

11 I do in service training for our 14 field  
12 personnel to keep them apprised of the science of breath  
13 testing. I evaluate breath test equipment. I maintain  
14 a laboratory in Raleigh.

15 As the Assistant Branch Head, I'm responsible  
16 for supervising 14 field staff and updating, reviewing,  
17 adding to the manual that we produce for the chemical  
18 analysts when they are going through their school to  
19 become chemical analysts.

20 Q And I believe you testified as to the length  
21 of time in that current position?

22 A I've been with the branch for two-and-a-half  
23 years now.

24 Q What, if any, publications do you have and  
25 what -- in this field, and what are the titles of those

1 publications?

2           A       I did some presentations at the annual  
3 meeting of the International Association for Chemical  
4 Testing last year. One of them dealt with managing  
5 references. Those would be the scientific publications.  
6 You can generate quite a large pile of them, and one of  
7 the problems people have is keeping track of the papers.

8                    Another presentation dealt with controlled  
9 drinking exercises, where we take volunteers and dose  
10 them with a certain amount of alcohol and then record  
11 what they end up blowing and monitor the alcohol  
12 concentration.

13                   And the other one dealt with interfering  
14 substances, and those are substances that have been  
15 suggested could interfere with a breath test.

16                   MR. MORGAN: Objection to that  
17 characterization.

18                   THE COURT: Overruled.

19           Q       Are you finished, sir?

20           A       Yes.

21           Q       How many times did you testify, sir?

22           A       I have testified about 28 times in the last  
23 two-and-a-half years.

24           Q       In the last two years how many prosecutions  
25 have you assisted in, sir?

1           A        About 60.

2           Q        And when looking at your positions held since  
3 your formal education, have you also been a reserve  
4 police officer?

5           A        Yes, I've been a reserve police officer in  
6 Durham since 1986. For a period time in 1997 I was a  
7 full-time officer. And I've been a reserve police  
8 officer at the University of North Carolina at Chapel  
9 Hill since 1992, and I still am.

10          Q        Are you, sir, being specifically paid to be  
11 here today?

12          A        I get my regular salary.

13          Q        So when you testify in the field, it's  
14 covered under your regular salary?

15          A        Yes, that's part of my duties.

16                   MR. MARTIN: If I may approach the  
17 witness, Your Honor?

18                   THE COURT: Yes, sir.

19          Q        Looking at what has previously been admitted  
20 as State's Exhibit No. 1, the test ticket, or a copy of  
21 the test ticket for this particular Defendant,  
22 Mr. Redmon, upon your looking at that test ticket, can  
23 you -- are you able to determine whether this  
24 particular test -- or whether this particular instrument  
25 was operating properly when this test was done?

1           A       Yes.

2           Q       And if so, will you tell the jury how you are  
3 able to determine that?

4           A       Well, this test ticket is complete. It  
5 starts out with the location that the test was done, all  
6 of the identifying information that was entered by the  
7 officer, and the results of cal checks, subject test,  
8 and has a reported alcohol concentration on it.

9                   In order to get a test ticket that is  
10 completed like this, you have to have an instrument that  
11 was working properly and that encountered no problems  
12 during the testing procedures.

13                   The instrument has a number of safeguards that  
14 are built into it. Initially the instrument is turned  
15 off. The officer or chem analyst will come in and turn  
16 the instrument on. It has a length of time it has to  
17 stay in a condition of not ready.

18                   It has a little display screen with the  
19 different words up, and it will say that it's not ready.

20                   Once that time is passed, it then goes through  
21 a diagnostic check where it checks the temperature of  
22 the different components. It checks the filter wheel.  
23 It has three filters mounted in it that filter the  
24 various red wave lengths out. It checks the printer to  
25 see if the printer is working properly. It checks the

1 memory to see if the memory is all right. Once it's  
2 completed the diagnostic, if everything is okay, it will  
3 come out of not ready.

4           If it detects a problem with any of those, it  
5 will not come out of not ready. The officer can't use  
6 that. This completed ticket tells me that it came out  
7 of not ready and it detected no problems with the  
8 instrument itself.

9           When it is time to do the test, the officer  
10 would then push the, "Start Test" button that's on the  
11 instrument. He's then prompted through the screen to  
12 enter information, citation number, a defendant's name,  
13 birth date, the charging officer, chemical analyst. He  
14 enters that information in through a keyboard. Once he  
15 says that he's ready to do the breath test, the  
16 instrument will take a sample of the room air, and it  
17 pulls it in through the same tube that the Defendant  
18 blows through. If it detects alcohol in the room air,  
19 it will stop the test. It will reject the test ticket,  
20 and it will print on it, "Ambient Failed," and it will  
21 not have any of the identifying information as far as  
22 the Defendant, the chemical analyst or the charging  
23 officer.

24           The chem analyst would have to ventilate the  
25 room, set it up again as far as entering the

1 information. So this one did the first air blank. It  
2 detected no alcohol on that air blank.

3 It then will go through a calibration check.  
4 This is a calibration verification.

5 The instruments do not calibrate themselves.  
6 They are calibrated initially at the factory. We have  
7 factory authorized service technicians who set the  
8 calibration electronically in a lab. There is a device  
9 attached to the Intoxilyzer called a simulator. It  
10 provides a known vapor sample of alcohol to be analyzed.

11 The instrument analyzes that sample. The  
12 sample does not tell the instrument what it is going to  
13 be. The instrument just analyzes it just like it would  
14 analyze a person's breath.

15 By regulation that has to be a .07 or a .08.  
16 If it is either a .07 or .08 by regulation the  
17 instrument is deemed properly calibrated.

18 The reason that it can be an .07 or .08 is  
19 that the simulator stock solution target value is .080,  
20 and with time, because this gets circulated through the  
21 simulator, the alcohol concentration will go down over a  
22 period of time, over a number of tests, and so it will  
23 fall below the .080 and get down into the .079, .078,  
24 .077.

25 This had a cal check that was in the range

1 that's -- that the regulations say it's okay. It had a  
2 cal check of .07. It then pulls up another air blank  
3 through to show that it pulled all the alcohol out. If  
4 it can't get all of the alcohol out, the instrument will  
5 not go any further with the test.

6           When it is time for the subject to blow, if  
7 they blow too soon, there's a prompt that comes up on  
8 the machine, and it says, "Please Blow." Sometimes  
9 people blow before it says, "Please Blow." If they do  
10 that, it will reject the ticket and have printed on it,  
11 "Invalid Test. Subject Blew Too Soon." It will not have  
12 any identifying information on it. The chem analyst has  
13 to set it all up. It has to go through all of the  
14 checks, the same ones again.

15           If the subject, when it's time to blow, has  
16 mouth alcohol, and that would be the alcohol that would  
17 be the residual alcohol, say if one had just taken a sip  
18 of an alcoholic beverage and then blew into the  
19 instrument. It can detect that. If they have mouth  
20 alcohol, it will reject the ticket and will have printed  
21 on it, "Invalid Sample," and the chem analyst has to  
22 have another observation period.

23           They are required to have a fifteen minute  
24 observation period before they can even have the person  
25 blow. The reason for that is to allow any mouth alcohol

1 that might be present to dissipate. Studies have shown  
2 that fifteen minutes is more than sufficient time for  
3 any mouth alcohol to dissipate.

4 Q If I can interrupt you. Tell the jury what  
5 you mean by mouth alcohol.

6 A Well, it's just alcohol. When we are doing a  
7 breath test on the subjects, we are taking deep lung air  
8 and measuring the alcohol in that air. We don't want  
9 alcohol that's just in their mouth, and so if -- Most of  
10 the time they are in custody so they don't have access  
11 to anything.

12 During that fifteen minute period, the chem  
13 analyst is to observe them to insure that they do not  
14 eat, drink, smoke or place anything in their mouth. If  
15 they violate that observation period, then they have to  
16 start the observation period again.

17 Now, when the subject blows, if it's in a  
18 range, say, around .65, that's a concentration that is  
19 lethal in most people. It's actually beyond the lethal  
20 range for most people. The instrument will indicate  
21 range exceeded and reject the ticket. The chem analyst  
22 has to evaluate the subject here. He may go to another  
23 instrument. He may not be certain why he got an  
24 instrument range exceeded, but it's a warning to him.  
25 Also, this subject may need to be going to the hospital.

1           When they blow, it also looks for interfering  
2 substances. The most common one found on human breath  
3 is acetone. That's found in diabetics who are going  
4 into keto acidosis. It's found in some people who have  
5 been on extreme diets that would blow some acetone off.

6           The instrument will always subtract out the  
7 acetone value that it finds. If it finds it above a  
8 certain level, it will complete the test, and at the  
9 bottom of the ticket it will indicate the interference  
10 is subtracted. That is to alert the chem analyst you  
11 may have a person that you're going to have to call EMS  
12 for.

13           It has a radio antenna in the breath tube.  
14 It's a black tube about that long that comes up on the  
15 side of the instrument. That antenna is to detect any  
16 radio frequency that may be being emitted in close  
17 proximity to the instrument. You don't want it  
18 happening while they are -- You can't take a radio and  
19 cause a particular result to show up. In order to guard  
20 against any malfunction, this antenna is in place so if  
21 someone keys their radio in the room, this instrument  
22 detects it, rejects the ticket, prints, "RFI Detected."  
23 The chem analyst has to enter a new ticket and start  
24 everything all over.

25           Finally, you have to take two tests by

1 Statute, and the two tests have to be within .02 of each  
2 other. And the instrument, when a subject blows, it  
3 then ventilates the instrument. It shows another air  
4 blank. It has to go until it sees no alcohol at all.  
5 The subject blows a second time. If the two subject  
6 tests are within .02, then it will print out a completed  
7 ticket at that time. If they are not within .02, then  
8 it will prompt them to have the subject blow another  
9 time.

10           So because we got a completed test record  
11 ticket, it indicates that none of those things occurred  
12 at that time; or if they did, the officer started over  
13 and was able to get a completed ticket once he took care  
14 of whatever the problem was.

15           Q       Now, Mr. Glover, what, if any, investigation  
16 did you do concerning whether the officer who was the  
17 chemical analyst in this case, Joe Johnson, from the  
18 Asheville Police Department was certified to operate the  
19 Intoxilyzer 5000?

20           A       We have a database at work of all of the  
21 individuals in the State that are certified as chemical  
22 analysts, and so when I found out who the chemical  
23 analyst was, I reviewed his history to insure that he  
24 was certified at that time. And his record shows that  
25 he was certified at that time to run the test.

1           Q       And what, if any, review of the maintenance  
2 logs for this particular instrument did you do, sir, in  
3 order to determine whether it had been properly  
4 maintained?

5           A       Well, the regulations, rules and regulations  
6 are separate from the statutes in this state that govern  
7 breath testing, and they direct that preventive  
8 maintenance will be conducted on an instrument every  
9 four months. And so when one of our field  
10 representatives comes into a test site, he will do the  
11 preventive maintenance on the instrument.

12                   He fills out a certification that preventive  
13 maintenance was done on that date. He prints out a  
14 diagnostic test done on that instrument. That stays at  
15 the test site. These are done on carbon paper, so the  
16 duplicate set is sent into Raleigh. We maintain a  
17 separate file from Raleigh. I pull the preventive  
18 maintenance file on this instrument, and it was in  
19 compliance during the time that the defendant was  
20 tested. It was in compliance in the preventive  
21 maintenance period prior to that and after that.

22           Q       How do you know you were looking at the  
23 preventive maintenance for this particular instrument?

24           A       Well, on the top part of the ticket, the part  
25 that the chemical analyst doesn't have to enter even,

1 would be the location, Buncombe County Jail, North  
2 Carolina, Model 5000, and it has the serial number.  
3 Each unit in North Carolina has a unique serial number.  
4 This serial number prints out on all the testing that's  
5 done on subjects, testings that are done for preventive  
6 maintenance. You go to that file with that serial  
7 number.

8 Q Sir, I'll show you what I've marked as State  
9 Exhibit No. 4. Do you recognize that exhibit?

10 A Yes, I do.

11 Q What is it?

12 A It's a picture that I made of the Intoxilyzer  
13 in my lab with the simulator attached to it.

14 Q What, if any, difference does this  
15 Intoxilyzer instrument have compared to the one that was  
16 used in this case?

17 A All units in North Carolina are identical  
18 except for the serial number.

19 Q And will this picture help you illustrate  
20 your testimony to the Court?

21 A Yes, it will.

22 MR. MARTIN: Your Honor, I would move to  
23 enter this for illustrative purposes.

24 THE COURT: All right.

25 MR. MARTIN: I would ask the witness to

1 be allowed to step down from the witness stand.

2 THE COURT: Well, all right. Speak up,  
3 sir. She's got to take everything you say, and talk  
4 slowly.

5 Q Show the jury where the substance that is  
6 used for the cal check comes from.

7 A This is the alcoholic breath similarity. It  
8 looks like a peanut butter jar with a top on it. It has  
9 a heating unit in it and a thermostat in it. It's  
10 maintained at 34 degrees Celsius, plus or minus  
11 two-tenths of a degree.

12 It's a closed container. It has a heated  
13 recirculation hose that comes into the side of the  
14 instrument and a return hose back here. When  
15 calibration is done the instrument will pump air through  
16 here. It bubbles through the alcohol water mixture, and  
17 it goes into the instrument, and it's analyzed.

18 Q Is this substance also maintained when the  
19 preventive maintenance is done?

20 A We have a alcoholic breath simulator log  
21 that's in place. When the field tech comes in, this  
22 fluid has to be changed after 125 tests. When it  
23 circulates, the chamber that's in here, the chamber is  
24 about that long, about that big around, it has to be  
25 vented, because between -- after a cal check, you have

1 to show that there's no alcohol left in the instrument,  
2 so it vents it out the back of the instrument. Every  
3 time you cycle that, you lose just a little bit of  
4 alcohol.

5           The regulation in place says that every four  
6 months, or every 125 tests, whichever comes first, this  
7 fluid has to be changed, and there's a log that stays  
8 with the instrument, so when an analyst comes in and  
9 does the test, he enters in that log the fact that he  
10 ran a test on it. There are 125 numbered spaces, so  
11 that limits the number of times they can put entries in  
12 it.

13       Q       Did you review the maintenance log for the  
14 Intoxilyzer that was used, the instrument that was used  
15 in Mr. Redmon's matter?

16       A       For the simulator, yes.

17       Q       What did you find?

18       A       That it had not reached the 125 test. I  
19 think it was around test 87 or 78, in that range, and a  
20 number of tests, maybe about 20 more tests were done on  
21 it before the instrument closed.

22           Now, one of the things that can happen when it  
23 does a calibration check, since this is heated, has a  
24 heater, if the thermostat fails and it starts to  
25 overheat, then it will drive more alcohol into the vapor

1 face.

2           When the cal check is done, it would give a  
3 high value, .09, .10. It can go way on up. If the  
4 instrument sees that, it shuts down on the cal check,  
5 and the officer cannot run a check on that instrument.  
6 He has to call us and tell us that he's had an out of  
7 tolerance, and we have to have the field guys come and  
8 find out what's wrong.

9           The other thing that can happen is if there's  
10 a leak, let's say the lid isn't screwed on tight,  
11 there's a leak in the hose, and you lose alcohol faster  
12 than you normally would, you can get an out of tolerance  
13 low. So when it does the calibration check and you get  
14 a .06, when that happens the instrument sees that it's  
15 out of tolerance. They will come in, turn the  
16 instrument on, make up a new batch of alcohol for the  
17 simulator to find out if it's the simulator or if it's  
18 the instrument.

19           Q       Now, where does the officer put the test  
20 ticket at?

21           A       Well, this slot right here is where the test  
22 ticket is fed into the instrument. This is the on and  
23 off button. This is the start test button. This is the  
24 window that displays all of the instructions, and the  
25 officer enters the identifying information of the

1 subject and the arresting officer through the keyboard.

2 Q What information does he enter through that  
3 keyboard?

4 A Citation number, birth date, Driver's License  
5 Number, gender, name of the Defendant, charging  
6 officer's name, type of agency he works for, the name of  
7 the agency, and then the chemical analyst's name and his  
8 permit number.

9 Q Does any of that information influence the  
10 result of the test?

11 A It doesn't have anything to do with the test.  
12 Once he's entered all of that and reviewed the data, it  
13 will ask him, "Breath Test," and has a "Y," slash and  
14 "N," yes or no. If he hits "No," he reviews the data  
15 again. When he reviews his entries, he pushes "Y" for  
16 yes.

17 The breath tube, by the way, is connected on  
18 this corner. It's laying kind of on the side of this  
19 instrument and is brought forward. A clean mouthpiece  
20 is put on it every time that a subject is tested.

21 Q If one of the safeguards were initiated,  
22 where does that appear at?

23 A It typically will alarm and prints on the  
24 ticket and rejects the ticket, and you could see what  
25 had happened at that time.

1           Out of tolerance will disable the unit, and it  
2 will say, "Unit Disabled, Call FTA."

3           Q       Does the officer at any time enter the  
4 Defendant's height, weight, when he or she -- the  
5 Defendant actually takes the Intoxilyzer 5000?

6           A       There's nowhere to enter any of that data in  
7 the Intoxilyzer, because it doesn't care, basically.  
8 It's measuring a deep lung air sample.

9           Q       And it doesn't care why? Why does it not  
10 care about the height or weight?

11          A       Because it's measuring the alcohol in the  
12 individual, so there's nowhere to enter in any of that  
13 data. While that information will affect a person's  
14 alcohol concentration, it doesn't affect the analysis  
15 that the Intoxilyzer does.

16          Q       So that the jury doesn't get confused,  
17 explain to them what you mean. Why doesn't the height  
18 or weight of the individual affect their alcohol  
19 concentration?

20          A       Alcohol goes into the water portion of your  
21 body. If you gave a dose of alcohol to a hundred pound  
22 male and to a 200 pound male, gave them the same dose,  
23 their alcohol concentrations would be different. The  
24 hundred pound male would have about twice the alcohol  
25 concentration of the 200 pound male.

1           Q       What, if any, problems did you see with the  
2 Defendant's expert, Dr. Stafford's, calculation of what  
3 his maximum alcohol content would be on April 26th of  
4 1999.

5           A       I believe he used three beers as the amount  
6 of alcohol that was consumed, and the window of  
7 consumption was from, I believe, roughly nine to  
8 midnight, about a three hour period. And he didn't --  
9 He used an elimination rate, but he didn't have the  
10 subject eliminating any alcohol during that time. You  
11 eliminate alcohol by it being metabolized in your liver.

12                   As soon as you start drinking an alcoholic  
13 beverage, you start metabolizing it. That did not  
14 appear to be in the calculation, because in that three  
15 hour period, even using an elimination rate Dr. Stafford  
16 referred to, .01, that means that the subject would be  
17 using .01 on his alcohol concentration per hour.

18                   Well, if the maximum they could get to would  
19 be an .04 or an .05, if you could instantly put that  
20 alcohol in a person, the three beers, that would tell  
21 you the maximum the person could be, if you could  
22 instantly put it in, but it wasn't put in instantly.  
23 It's consumed over a period of time. As it's being  
24 poured in, it's being eliminated. That has to be taken  
25 into consideration.

1           Q       What, if any, persons have an elimination  
2 rate of .01?

3           A       Well, there's a range of accepted elimination  
4 rates that have been reported in people. The range is  
5 from .01 to .02.

6                    There are some people who have been higher  
7 that are severely chronic abusers, but the .01 is  
8 typically found in people with liver damage. Since  
9 metabolism occurs in the liver, blood has to flow  
10 through the liver in order for the enzymes to break the  
11 alcohol down.

12                   If you have a damaged liver, you don't have  
13 the same blood flow through the liver. You are not able  
14 to eliminate that alcohol at the rate that a normal  
15 person would.

16           Q       Based upon the calculation Dr. Stafford made,  
17 what would have been Mr. Redmon's blood -- breath  
18 alcohol concentration at 4:03 when his test was taken?

19           A       Well, using the elimination rate that he had,  
20 the level should probably, at about 1:00 o'clock, have  
21 been a zero, zero, and it would have been that for the  
22 rest of the evening.

23                   MR. MARTIN: That would be the questions  
24 I have, Your Honor.

25                   THE COURT: Cross-Examination.

1 MR. MORGAN: Thank you, Your Honor.

2 CROSS-EXAMINATION

3 BY MR. MORGAN:

4 Q In addition to working for the State, you  
5 work for the City of Durham and the University of North  
6 Carolina, Chapel Hill as a law enforcement or police  
7 officer, is that right?

8 A I work for the City of Durham as volunteer  
9 work. I don't get compensated for that. My work at the  
10 University of North Carolina, Chapel Hill, I work  
11 directing traffic primarily at football and basketball  
12 games, and for that I am compensated.

13 Q You are a police officer in both capacities?

14 A Yes, sir, I am.

15 Q You are a police officer now, in addition to  
16 being a Research Scientist?

17 A Yes, I am.

18 Q Now, when you worked for Oak Ridge, you  
19 didn't do anything with breath alcohol testing in  
20 connection with your duties, did you?

21 A No, I did not.

22 Q Likewise, when you worked for the National  
23 Institute of Environmental Health Services or Sciences,  
24 whichever the case may be, you didn't do anything with  
25 reference to breath alcohol testing in connection with

1 your duties, did you?

2 A No, I did not.

3 Q When you worked with Burroughs Wellcome you  
4 didn't do anything in connection with your duties with  
5 breath alcohol testing, did you?

6 A No, I did not.

7 Q Now, you are paid by the State of North  
8 Carolina?

9 A I have a salary.

10 Q And you were paid for working yesterday and  
11 today, which was composed of being here in the courtroom  
12 listening and testifying today, is that right?

13 A My normal pay I get eight hours a day.  
14 Anything over eight becomes comp time, so I got my  
15 normal. I didn't get anything extra for yesterday. I  
16 won't get anything extra for today.

17 Q But that doesn't influence your testimony,  
18 just because you are testifying for the State and you  
19 are paid by the State, does it?

20 A It does not influence my testimony.

21 Q However, you are a State's witness, and you  
22 have never testified as a defense witness in this area,  
23 have you?

24 A That's not true.

25 Q Okay. How many times, then, out of the times

1 you have testified as a witness, have you testified as a  
2 defense witness?

3 A I have been called by the defense on two  
4 occasions.

5 Q So were those two occasions included in the  
6 28 that you mentioned to the jury earlier?

7 A Yes, they were.

8 Q All right, so two out of 28 were for the  
9 defense, and 26 out of 28 were for the State?

10 A Correct.

11 Q In addition, you had another 32 or so that  
12 you helped the State out in but did not testify, is that  
13 right?

14 A Cases that I have been involved in. There  
15 are a number of reasons why I didn't testify.

16 Q But it is true that you did participate in  
17 the prosecution preparation or in a consulting fashion  
18 in a number of other cases for the State?

19 A And for the defense also. I take phone calls  
20 from the defense on a regular basis.

21 Q The machine that was used that you got a  
22 chance to look at while you were up here to test  
23 Mr. Redmon is at least six years old, isn't it?

24 A Yes, it is.

25 Q Yet the warranty for that machine that the

1 State of North Carolina was given when it was purchased  
2 is only one year, isn't that right?

3 A That's correct.

4 Q It's been out of warranty in excess of five  
5 years, isn't that right?

6 A It has been out of warranty for at least five  
7 years.

8 Q Now, the Intoxilyzer 5000 machine was  
9 purchased by the State for use in this county, or by the  
10 County, whichever the case may be, because it had  
11 several improvements over the previous machine, isn't  
12 that right?

13 A We went to a statewide breath testing program  
14 in --

15 THE COURT: Well, Just a minute. The  
16 objection is sustained.

17 Q Well, do you know what model number  
18 Intoxilyzer 5000 was used on this particular test?

19 A The Intoxilyzer 5000.

20 Q But there are several series within that.  
21 Which series was used?

22 A All of the units in North Carolina are Series  
23 66. That's indicated in the serial number 66003579 in  
24 this case.

25 Q Is that the most current generation of Model

1 66 that's available?

2 A I don't know if they are still producing the  
3 66.

4 Q What do you think they are producing now?

5 A There's a series called -- the Intoxilyzer  
6 5000, they have the 68 series.

7 Q They produced that because of perceived  
8 problems with the 66 Series, didn't they?

9 A They produced that because of requirements  
10 from some of the European countries, to be exact.

11 Q I'm sorry. Because the Model 66 was not as  
12 accurate and reliable as the 6800 Series, is that right?

13 A No, that is not.

14 Q Well, you testified that this particular  
15 machine only had three filters, didn't you?

16 A That's correct.

17 Q And the newer model has five filters, don't  
18 they?

19 A It has two additional filters, but these are  
20 all for identifying ethyl alcohol, so if you can think  
21 about a chemical compound as having a unique fingerprint  
22 when you are considering the absorption of infrared  
23 light by that molecule, all this is over a spectrum of  
24 infrared light you get changing absorption. This just  
25 has a few more points on the line, so it checks a few

1 other -- the absorption at a couple of other wave  
2 lengths.

3 Q And what the newer models do that this older  
4 model doesn't do is exclude some other interference,  
5 isn't that right?

6 A There has been no evidence that any of those  
7 interference -- that an interfering substance --

8 MR. MORGAN: Your Honor, could I ask the  
9 Court to direct the witness to answer my question? And  
10 then he can explain it all afternoon.

11 THE COURT: It's pretty technical, sir.  
12 If you will address his question, and then you may  
13 explain your answer, sir.

14 THE WITNESS: Your question again?

15 Q I believe my question was something like,  
16 isn't it true that the newer models are able to exclude  
17 other interfering substances that the older model used  
18 in this test could not exclude?

19 A No.

20 Q It's not true?

21 A No.

22 Q So why then have two more additional filters,  
23 if they're not necessary?

24 A Because some of the controlling authorities  
25 in Europe, in order to use the instrument over there,

1 wanted those filters in there.

2           There was a -- or is a perceived notion that  
3 there can be other substances, like paint thinner, on  
4 your breath. In order for a substance to be of a  
5 concern --

6           A       No, sir, inhalation. In order for an  
7 interfering substance to be of concern, first of all, it  
8 has to absorb in the same wave length that ethyl alcohol  
9 does; and it also has to be on the breath of a conscious  
10 human being in sufficient quantities to be seen by the  
11 instrument.

12           And there are no studies that have been done  
13 to show that any of these substances are present on the  
14 breath of humans, other than acetone, and that's from  
15 diabetics and some people on extreme diets, and so those  
16 are conditions that don't exist. You don't find paint  
17 thinner on people's breath.

18           Q       Actually, it's true, is it not, that these  
19 studies that you're referring to have never tested human  
20 beings with taurine? Yes or no?

21           A       On the Intoxilyzer?

22           Q       Yes.

23           A       Correct.

24           Q       Now, it's Mr. Glover, right? You don't have  
25 your doctorate, is that right?

1           A       No, just my Master's.

2           Q       You mentioned something about this particular  
3 machine calibrating versus checking the calibration.  
4 Now, it's true that this machine doesn't calibrate, it  
5 simply checks the calibration, isn't that right?

6           A       It verifies that it is properly calibrated.

7           Q       In other words the machine tests itself?

8           A       It doesn't test itself. Again, my best  
9 analogy for that is if you weigh yourself every morning  
10 on the bathroom scale, and you are very pleased with  
11 what you're seeing every morning, and you go, "I want to  
12 make sure this is working right. I've got a five pound  
13 sack of potatoes I got at Harris Teater. I'm going to  
14 put them on the bathroom scales and see if it says the  
15 right weight," and you put it on there, and you see it  
16 shows five pounds. You're happy because the scales are  
17 right.

18                   Well, that's what is happening with the  
19 simulator. A sample is introduced into the chamber.  
20 It's analyzed, just like a subject's breath is analyzed.

21                   If the analysis shows that the concentration  
22 is .07 or .08, and I explained why we can have that  
23 variation, then it is deemed by rules and regulations to  
24 be properly calibrated. Calibration actually occurs in  
25 the lab. We have factory trained authorized service

1 technicians that do all of the internal work on the  
2 Intoxilyzers.

3 Q Since you brought the subject up, it is true  
4 that no one calibrated this particular machine  
5 immediately before, during or after this particular  
6 test, did they?

7 A To verify or to --

8 Q That a yes or no, please, and then you may  
9 explain your answer.

10 A No.

11 Q Thank you. Do you need to explain your  
12 answer?

13 A Yes, I do.

14 Q Please.

15 A To do the calibration, to actually calibrate  
16 the instrument takes about \$50,000 worth of electronic  
17 equipment and factory authorized service personnel to  
18 open the instrument up and to do a number of different  
19 electronic diagnostic tests. There are a number of  
20 potentiometers that can be adjusted, if they need to be  
21 adjusted.

22 That is done in our electronics lab in  
23 Raleigh. Once that's completed, they then will take an  
24 alcoholic breath simulator, just like the one that's in  
25 the picture. They will have it with a concentration of

1 .08, .16, .24, .32, and they will blow that through the  
2 instrument to see if they get a linear response, in  
3 other words, if the instrument reads it as it should on  
4 those concentrations.

5           So they do the calibration, and then  
6 electronically they then verify it with different  
7 concentrations of alcohol. Then it's put back in the  
8 field.

9           To have it calibrated by a field tech would  
10 mean that all 165 test sites that we have in North  
11 Carolina, we would have to have a factory authorized  
12 technician with \$50,000 worth of equipment to sit there  
13 and take the instrument apart, do the calibration, put  
14 it back together, before and after every test, which is  
15 what you've suggested.

16         Q       So the short answer is, no, no one checked  
17 out the machine before, during or after the test to make  
18 sure it was working properly? That's the short answer,  
19 isn't it?

20         A       Correct.

21         Q       Let me ask you this. The simulator you have  
22 talked about and the preventive maintenance for the  
23 simulator, you have to trust that the company that  
24 manufactured that simulator has properly measured the  
25 percentage of ethyl alcohol in that solution based on

1 how it's packaged, isn't that right?

2           A       Yes, and that alcohol is prepared by the  
3 State Health Lab at our request. It comes to us sealed  
4 in vials. There's a lot number for every group of 187  
5 vials that we get. They take samples from every batch  
6 that they make, and they confirm its concentration on a  
7 gas chromatography.

8                   When I get the stock lot from them, I open a  
9 vial up, and I confirm it on the instrument that's in  
10 that picture. I do a minimum of 50 calibration checks  
11 on that particular vial from the lot. I calculate a  
12 mean and a standard deviation. I do that for every lot  
13 that we get, which is in addition to the check that the  
14 State Health Lab does.

15                   The manufacturers of, and they're private  
16 manufacturers of the stock solution, they use a breath  
17 test instrument alone to verify the calibration.

18                   THE COURT: What will be next there,  
19 Mr. Morgan?

20           Q       Mr. Glover, then it's true that neither you  
21 nor anyone else tested this particular solution  
22 immediately before, during or after this particular test  
23 to make sure that that ethyl alcohol concentration was  
24 within the limits that the machine printed out?

25           A       Independent of the analysis done by the

1 instrument?

2 Q Yes.

3 A No.

4 Q So you assume that the machine was working  
5 properly when it measured it?

6 A Correct.

7 Q In fact, did you hear yesterday the chemical  
8 analyst, Officer Johnson, say that he was taught that it  
9 could be within tolerance if it was even as low as .08  
10 on the simulator solution?

11 A I heard him testify to that. All I can say  
12 is that he doesn't stay as current as he should. It  
13 says it on the inside of the simulator lot that .07, .08  
14 is what is deemed to be proper calibrated.

15 Q It's true, Mr. Glover, that this particular  
16 instrument does not record false tests?

17 A I don't know what a false test is.

18 Q Well, for instance a defendant's attempt to  
19 blow where the Intoxilyzer does not accept a sample for  
20 any reason.

21 A I'm not aware of that occurring. When a  
22 person blows, they're required to blow a minimum length  
23 of time and at a minimum pressure. When they have --  
24 When they initially start to blow, and they blow hard  
25 enough at a sufficient pressure, there's an audible tone

1 that's emitted from the instrument. They then have to  
2 maintain that tone for about five to six seconds. If  
3 they stop blowing at any time, they will have to restart  
4 blowing. This is to insure that we get a deep lung  
5 sample. If they don't blow hard enough, they can blow  
6 all day long and it will never accept their sample.

7 Q So if, in fact, Mr. Redmon gave his first  
8 sample, and then after trying to give his second sample  
9 he was told he wasn't doing it right, would the chemical  
10 analyst have to recalibrate the machine before allowing  
11 him to blow the third time?

12 A No, because that would still be on the second  
13 test. He can start and stop basically as many times as  
14 he wants to within a certain time frame, but that  
15 doesn't constitute starting another test.

16 Q Isn't it true that this particular machine is  
17 capable of collecting the data concerning aborted tests  
18 and any other problems that the machine has experienced?

19 A I don't know what you would consider to be an  
20 aborted test.

21 Q Well, it collects some data of some sort,  
22 doesn't it?

23 A Yes, it does.

24 Q Tell the jury what kind of data it collects?

25 A It collects the completed test. It collects

1 if there was RFI, all of the different safeguards I went  
2 through, if it goes out of tolerance, if there are  
3 interfering substances detected. I think that those are  
4 basically the problems that it will collect.

5 Q And it's true that that data in this  
6 particular case has been destroyed, hasn't it? Yes or  
7 no?

8 A I don't think destroyed is the proper term.  
9 We were not collecting the data at that time.

10 Q Well, the machine collected it. You simply  
11 weren't downloading it, isn't that a more accurate  
12 statement?

13 A We haven't been downloading it -- Let  
14 rephrase that. We started downloading the data from  
15 instruments in probably February, and we started at the  
16 western part of the state, and we have not completely  
17 connected with every unit in the State. Prior to that  
18 time, we were not downloading the data.

19 Q But the machine had software pursuant to the  
20 North Carolina specs to collect that data, even though  
21 you chose not to download it, didn't it?

22 A We didn't have the means to download it, but,  
23 yes, it does have that software.

24 Q And that data, for whatever reason, is no  
25 longer available, is it?

1

2           A       Correct.

3           Q       But that data, had it been available, would  
4 have been useful to you to confirm the preventive  
5 maintenance log and your opinion that it was done  
6 properly, wouldn't it have?

7           A       No.

8           Q       If there was disparity between the preventive  
9 maintenance log and that data, would that not have  
10 caused you some concern?11          A       I don't know what you're meaning, disparity  
12 in the preventive maintenance log.13          Q       If the preventive maintenance log were to  
14 show that there was a problem with some interfering  
15 substance -- excuse me, not show there was a problem  
16 with some interfering substance on a particular test,  
17 and yet the data reflected there was a problem, that  
18 would be a major problem to you, wouldn't it?19          A       It -- I was going to say remembers. It  
20 records the data just as it is printed on the test  
21 record ticket.

22          Q       Including shutdowns because of interference?

23          A       It doesn't shut down if it gets --

24          Q       It records that?

25          A       Excuse me?

1 Q It records that?

2 A It records it. It prints it out on the test  
3 record ticket if it's a sufficient level.

4 Q And that data is recorded, it's captured?

5 A Yes, but it would also be printed on the test  
6 record ticket.

7 Q But you haven't checked all of the test  
8 records. All you checked was the preventive maintenance  
9 log, isn't that right?

10 A I have looked at this test record ticket, and  
11 there is no interference tracked on it.

12 Q When you say this machine and the tests done  
13 on it encountered no problems, what you are saying to  
14 the jury is, that's according to the machine and what it  
15 told you, isn't it?

16 A According to the completed test record  
17 ticket, yes.

18 Q Isn't it true that the calibration of the  
19 Intoxilyzer 5000 can drift out of tolerance on the  
20 analysis of a percentage without the internal standard  
21 aborting the test?

22 A We don't use internal standards on these  
23 units.

24 Q No internal standard? So you're denying that  
25 that happens?

1           A        I'm saying we in North Carolina do not use  
2 internal standards. There are some states that do not  
3 use an alcoholic breath simulator attached. They use an  
4 electronic internal standard to verify calibration. We  
5 prefer using a wet bath simulator so it provides an  
6 alcohol sample to be analyzed. We do not use internal  
7 standards.

8           Q        To boil this all down for the jury's  
9 understanding, isn't it true that this machine does not  
10 measure ethyl alcohol, specific, but rather substances  
11 that are like ethyl alcohol in their molecular  
12 structure? It is not specific for ethyl alcohol, is it?

13          A        The wave lengths -- Yes, it is specific for  
14 ethyl alcohol. The wave lengths that were selected --  
15 The wave lengths of infrared radiation that are looked  
16 at are specific to ethyl alcohol and are specific with  
17 respect to the amount of absorption of the two different  
18 wave lengths. It looks at two different wave lengths of  
19 IR. It compares the height of absorption. There's a  
20 set ratio between the different wave lengths. It's  
21 unique to ethyl alcohol.

22          Q        It is true that some interfering substances  
23 masquerade or mask, in terms of this infrared detection  
24 device, as ethyl alcohol? They cause the same reaction  
25 in the machine, the diminution of the intensity of

1 light?

2 A Not -- Not substances found on the breath of  
3 human beings.

4 Q There are other substances, though, that you  
5 are aware of not commonly found on the breath of human  
6 beings?

7 A I'm saying that --

8 Q Yes or no?

9 A I don't understand your question, then.

10 Q Are you leaving open the possibility that  
11 there are other substances not commonly found on the  
12 breath of human beings that could mask as ethyl alcohol  
13 in that machine?

14 A You could blow a sufficient quantity of a  
15 number of substances through it that it would respond  
16 to; however, the concentration of those substances are  
17 not and have not ever been found on human breath. If  
18 you squirt WD 40 in it, it can respond. We don't find  
19 WD 40 on people's breath, not in concentrations that the  
20 instrument will recognize.

21 MR. MORGAN: That's all of the questions  
22 I have. Thank you, sir.

23 MR. MARTIN: No questions.

24 THE COURT: Stand aside.


25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF DELIVERY

I, BEVERLY R. KLINE, OFFICIAL COURT REPORTER  
FOR THE STATE OF NORTH CAROLINA, CERTIFY THAT THE  
TRANSCRIPT CONTAINED HEREIN IS TRUE AND ACCURATE,  
TRANSCRIBED BY ME OR UNDER MY SUPERVISION.

I FURTHER CERTIFY THAT THE TRANSCRIPT  
CONTAINED HEREIN WAS DELIVERED TO THE PARTIES BY PLACING  
SAME IN THE U.S. MAIL ON THIS THE 27th DAY OF FEBRUARY,  
2002.



BEVERLY R. KLINE  
OFFICIAL COURT REPORTER  
28TH JUDICIAL DISTRICT.  
ASHEVILLE, N.C.  
828-627-8380